

1 CROSS-EXAMINATION

2 BY MR. PANTER:

3 Q. Hello, Dr. Palamara.

4 A. Mr. Panter, good afternoon.

5 Q. Pleasure to see you once again.

6 A. Likewise.

7 Q. You and I had the opportunity to chat on
8 Friday afternoon, on October 5th.

9 Do you remember that?

10 A. We did.

11 Q. Since that date, certain tings have happened
12 in this case, and I want to know if you know about
13 them.14 Do you know about the stipulation that was
15 filed by the people that hired you?16 MR. MARTIN: Object to form. Or objection,
17 irrelevant.

18 MR. PANTER: Oh, it's relevant.

19 THE ARBITRATOR: I'll allow it.

20 Q. (BY MR. PANTER) Do you know about the
21 stipulation that was filed by the lawyers that hired
22 you?

23 A. No, sir.

24 Q. Let me read it to you, sir. Okay.

25 "Stipulation of St. Anne's NCHSA regarding decubitus

1 ulcer. The defendant, St. Anne's Nursing Center,
2 St. Anne's Residence and Catholic Health Services, by
3 and through their undersigned counsel and pursuant to
4 the Florida Rules of Civil Procedure and Florida law,
5 hereby file a stipulation in the state as follows:

6 The defendants stipulate -- these are the people that
7 hired you -- "that the plaintiff, Helen Shaver,
8 developed a pressure sore on the posterior aspect of
9 her left lower extremity during her admission to
10 St. Anne's between April 13, 2010 and April 22, 2010.
11 The defendants further stipulate that the development
12 of the above-described pressure sore was a fault of
13 the defendants."

14 Did they share that information with you
15 before you took the stand and provided your testimony
16 to this arbitrator?

17 A. They may have discussed it, not in the same
18 formality that you did.

19 Q. In what formality did they discuss it with
20 you?

21 A. Very informally.

22 Q. Tell me what they said.

23 A. To the degree that they felt that there was
24 a development of a decubitus ulcer in her left
25 posterior calf.

1 Q. So they in essence told you, "We're not
2 going to be defending that issue anymore," that that
3 happened and it was negligence?

4 MR. MARTIN: Argumentative.

5 THE ARBITRATOR: That is argumentative.

6 Q. (BY MR. PANTER) Did they tell you that it
7 happened and, "We're not defending that issue"?

8 A. They indicated, well, thoughts along those
9 lines.

10 Q. I want to read the rest of the stipulation.
11 I want to be complete with you. "The defendant
12 vigorously denies such sore caused or contributed in
13 any way to the ultimate amputation of the plaintiff's
14 left lower extremity."

15 That's going to be -- that was your
16 testimony. We're going to talk about that. We're
17 going to have the time ---

18 MR. MARTIN: Argumentative.

19 MR. PANTER: It's cross-examination.

20 THE ARBITRATOR: I know, but just ask the
21 question.

22 MR. PANTER: It's the way I cross.

23 THE ARBITRATOR: I know. It may be the way
24 you cross, but ---

25 Q. (BY MR. PANTER) Let me read the rest of it

1 to you.

2 A. Okay.

3 Q. "The defendants stipulate that the
4 defendants failed to report a PT/INR lab result to
5 Dr. Diaz on or about April 19, 2010 until April 21,
6 2010, and such failure was a deviation from the
7 applicable standard of care."

8 Did they tell you they were filing that
9 stipulation?

10 A. I don't think we talked about that.

11 Q. But you told me that in your deposition
12 anyway.

13 A. Okay.

14 Q. Do you remember telling me that?

15 A. I do.

16 Q. Okay. Then they go on -- it goes on. I'm
17 going to read the six paragraphs to you to be
18 complete.

19 "The defendant vigorously denied that such
20 failure" -- referring to paragraph 3 -- "caused or
21 contributed in any way to the ultimate amputation of
22 the plaintiff's leg.

23 "As a result -- next paragraph. "As a
24 result of the foregoing stipulation, nursing care and
25 treatment at St. Anne's is no longer an issue in this

1 matter, and testimony evidence may not be offered
2 regarding same."

3 Did they discuss that with you?

4 A. No.

5 Q. Next paragraph, No. 6, "Thus the only issue
6 in the case is whether such sore caused or contributed
7 to the ultimate amputation and whether failure to
8 report the PT/INR level caused or contributed to such
9 amputation."

10 Did they read that to you?

11 A. No.

12 Q. If we could first -- make this a little
13 easier -- talk about the things that I know we're
14 going to agree upon.

15 That you're not disputing, these lawyers
16 that hired you, a pressure sore developed at St.
17 Anne's, and that it was their fault?

18 A. I would like to say that in the definition
19 of a pressure sore, they usually occur from two or
20 three causes. And one is a bony prominence. No. 2 is
21 a malnutrition. No. 3 is an infection.

22 And they commonly occur over a bony
23 prominence such as a heel, sacrum, back, spine,
24 something like that. Usually they occur from the
25 outside in.

1 And what we see in Ms. Shaver is that her
2 trouble started from the inside going out.

3 So consequently while they may have
4 stipulated that, and I certainly am not here in any
5 sort of legal capacity, and I'm not really too sure
6 whether I have any opinion about the stipulations, but
7 I believe that I am here to talk about the science as
8 it relates to Mrs. Shaver and the ultimate loss of her
9 leg.

10 So I'm not sure exactly how to approach an
11 answer to your question since they seem to be more
12 legalistically oriented than medical.

13 Q. I didn't write this, just so you know. The
14 lawyers that hired you wrote this, and they used the
15 word "pressure sore," and they stipulated that it was
16 their fault.

17 A. Fine.

18 Q. So a pressure sore comes from nonrelieving
19 of a weightbearing part of the body, that's one way it
20 can develop; correct?

21 A. Correct.

22 Q. And you know in this instance that Helen was
23 completely immobilized from the tibial surgery;
24 correct?

25 A. She was not completely immobilized.

1 Q. Let me ask you this. To your knowledge --
2 did you go through the records, and were you able to
3 note whether she was able to get out of bed without
4 any -- without complete assistance?

5 A. I didn't think that she had complete
6 assistance, that I think she had some partial
7 assistance to get out of bed.

8 And I think I also said in my deposition she
9 had upper body motion, and she had motion of her right
10 leg, and I thought that she did require assistance to
11 get out of bed, but she certainly was not totally
12 debilitated nor dependent.

13 Q. Do you know to what extent she was able to
14 move and mobilize that left lower extremity during
15 the -- from April 13th to the 22nd she was at
16 St. Anne's? You don't know that, do you?

17 A. To the extent that it was nonweightbearing,
18 I have no further knowledge.

19 Q. Okay. Now, you do know that -- and I'm just
20 talking about decubitus ulcer -- that she required a
21 lot of medical care related just to the decubitus
22 ulcer; correct?

23 A. She required a lot of medical care related
24 just to the decubitus ulcer?

25 Q. Yes.

1 A. At St. Anne's?

2 Q. No. When she left at Baptist.

3 A. At Baptist?

4 Q. Yes, sir.

5 A. Again, the patient had a decubitus, did not
6 have an ulcer.

7 Q. Okay. Did she require a significant amount
8 of care at Baptist Hospital with respect to the harm
9 and injury to her posterior aspect of her calf?

10 A. Yes.

11 Q. You told us what you were reviewing. Did
12 you review the bills in this case?

13 A. No.

14 Q. They're in the file there. It's a white
15 file right to your right, sir.

16 There's a million dollars of medical bills
17 in that white file. It's been admitted into evidence.

18 Did you make any determination of how much
19 of those bills were related to her medical care
20 specifically regarding the sore on the back side of
21 her leg? Did you do that?

22 A. I fail to see how it's relevant to ---

23 THE ARBITRATOR: Just answer the question.

24 THE WITNESS: No, I did not.

25 Q. (BY MR. PANTER) Okay. And you also

1 mentioned you did not review the records of Kindred,
2 correct?

3 A. That is correct.

4 Q. So you didn't make any determination as to
5 how much of the care at Kindred related to the sore on
6 the back side of her leg? You didn't review the
7 records; correct?

8 A. I did not review the record of Kindred.

9 Q. Did you review the medical bills at Kindred
10 to determine how much of it related to the sore on the
11 back side of the leg?

12 A. I did not review the bills of Kindred
13 either.

14 Q. Would you agree that a debridement that she
15 sustained, that she had multiple debridements for the
16 sore on the back side of her leg?

17 A. I would.

18 Q. And would you agree that a couple of those
19 were surgical, meaning she was under anesthesia?

20 A. That's correct.

21 Q. And would you agree that there were other
22 debridements done at bedside?

23 A. Yes.

24 Q. And would you agree ---

25 A. Well, I don't know that for a fact, by the

1 way, but I don't think it's really inconsequential, so
2 I would agree with that.

3 Q. Would you agree that those, even though it's
4 vascular surgery, you have done those at patients
5 bedside; right?

6 A. Yes.

7 Q. Are they painful?

8 A. We're talking about hypothetical case, yes,
9 they can be painful. I'm have no knowledge about
10 Ms. Shaver.

11 Q. Well, you didn't look at the record to
12 determine the extent of the debridement and whether or
13 not Ms. Shaver was in excruciating pain going through
14 those debridements?

15 A. I did not see that in the prognosis notes
16 that I reviewed.

17 Q. You don't know one way or another?

18 A. I told you when I reviewed the progress
19 notes from Baptist Hospital, I did not see anything
20 written about her having undergone debridements nor
21 the level of pain.

22 Q. Now, your opinions in this case are based
23 completely on a group of medical records that you
24 reviewed and, of course, whatever experience you have
25 and bring to table; correct?

1 A. That's correct.

2 Q. You did not read a single deposition in this
3 case; correct?

4 A. That is not correct. You know that.

5 Q. I'm sorry. You read Dr. Salinger's depo and
6 Nurse Julia's depo?

7 A. That is also correct.

8 Q. You read the two experts that the
9 plaintiff -- you read their deposition to discern what
10 their opinions were and how they evaluated this case;
11 correct?

12 A. That's correct.

13 Q. And those were given to you by the lawyers
14 that hired you; correct?

15 A. That is also correct.

16 Q. And did the lawyers ask you to review the
17 other depositions, the fact-finding depositions that
18 were taken in this case with respect to what happened
19 to Ms. Shaver?

20 A. I reviewed those -- that documentation that
21 was provided to me.

22 Q. So that's answer is no then?

23 A. No.

24 Q. Okay. When you do medical review, it's not
25 unusual for you to review something and maybe ask the

1 lawyer for materials; is that correct?

2 A. That is correct.

3 Q. Did you ask these lawyers to give you the
4 depositions in the case, aside from the two that you
5 looked at, of our expert witnesses? Did you ever ask
6 for that?

7 A. No, I did not.

8 Q. And did you ever ask to review the bills in
9 the case?

10 A. No, I did not.

11 Q. So first talk about bills is right now;
12 correct?

13 A. Yes, sir.

14 Q. Did you know that Helen incurred over a
15 million dollars in medical billing?

16 MR. MARTIN: Objection. Scope.

17 THE ARBITRATOR: Scope and relevance.

18 MR. PANTER: Okay.

19 MR. MARTIN: I would also point out that the
20 arbitrator has ruled that the liens are to be
21 considered, not the bills. So the extent I don't
22 think they belong --

23 Q. (BY MR. PANTER) I want to talk a little
24 bit, if we could, about this nonsalvageability issue;
25 okay?

1 A. Sure.

2 Q. And you agree that you have to rely on
3 what's in the medical record in forming your opinions?

4 A. That's correct.

5 Q. Do you take what's in the medical records --
6 do you accept what's in the medical records as true or
7 do you decide what part of the record you're going to
8 actually believe versus what part you're not going to
9 believe?

10 A. The reality is when you look at medical
11 records, you have to take into consideration the
12 capacity ---

13 (Interruption off the record.)

14 MR. PANTER: Brittany Panter, I'd like to
15 introduce you. This is Mr. Mullen.

16 MR. MULLEN: Hi. Nice to meet you.

17 MR. PANTER: My daughter, she's a third-year
18 law student.

19 This Matthew Martin, another lawyer from
20 that firm. A lady from the nursing home. This is
21 Dr. Palamara, the witness they hired.

22 The videographer. Mr. Larry Kuvin is our
23 arbitrator.

1 THE ARBITRATOR: Do you have a chair back
2 there?

3 MR. MULLEN: We usually need to know if a
4 witness walks in the room.

5 MR. PANTER: I'm sorry.

6 Q. (BY MR. PANTER) May I proceed?

7 A. Please.

8 Q. Where were we?

9 A. You were talking about the fact when a
10 witness reviews a chart.

11 Q. Yes. Thank you. Thank you. I appreciate
12 that.

13 A. I'm paying attention.

14 Q. I know you are, as I am, as I'm paying
15 attention to every one of your words.

16 Doctor, when you reviewed this chart, you
17 came up to your opinions based on what you find in the
18 medical records; correct?

19 A. Correct.

20 Q. Okay. I'll get this predicate out and some
21 of it you rely on and some of it -- for whatever
22 reason, I'm not questioning you, you discount?

23 A. Correct.

24 Q. And, for example, just an example of that
25 would be -- and I know you talked about this in your

1 deposition, you questioned the ability of the people
2 at St. Anne's to accurately make an assessment of
3 Helen's pedal pulse. That's an example of that;
4 correct?

5 A. Well, I think that that discussion was a
6 little bit different, though. The reality came down
7 to whether they had a responsibility or the capability
8 of making a determination of arterial insufficiency.

9 And my response to your question was that I
10 did not think that they had that responsibility nor
11 did they have the education to be able to be making a
12 diagnosis of arterial insufficiency.

13 Q. You're right. And let's talk about that a
14 little bit, because you -- and I asked you that
15 question, do you know about the particulars of this
16 facility, the skill set of those people, and my
17 recollection was your answer was no; correct?

18 A. Correct.

19 Q. You just said I don't expect them to have
20 the same level of skill that maybe a lady that works
21 in my office, a nurse that works in my office checking
22 pedal pulses. Would that be a fair example?

23 A. Within the confines of what you are telling
24 me, yes, it would.

25 I have no idea about the ability of your

1 nurse to check pedal pulses.

2 Q. I'm not talking about my nurse. The nurses
3 in your office, trained by you?

4 A. Again, it takes a bit of sophistication to
5 be able to make a diagnosis and to accurately diagnose
6 a patient. So I'm a little bit skeptical.

7 Q. That's okay. So the point being here is
8 did you know that this was called a skilled nursing
9 facility, St. Anne's, this place where Helen was?

10 A. I accept you saying that.

11 Q. Did you know that they advertise particular
12 post-rehabilitation for complex orthopedic injuries?

13 A. No, I did not know.

14 Q. Do you think that that's important? Do you
15 think when someone, a facility -- a healthcare
16 facility advertises a specialty, a specialty being
17 able to help someone after a post-complex orthopedic
18 injury, that that skill set ought to rise a little bit
19 higher than maybe an ordinary nursing home taking care
20 of 90-year-old people?

21 MR. MARTIN: Objection. Compound. Facts
22 not in evidence. Argumentative.

23 THE ARBITRATOR: I think I agree with your
24 objection, but I will let him answer.

25 THE WITNESS: I think that a nursing home

1 has the responsibility to perform and discharge those
2 instructions that they have been given by the
3 orthopedic surgeon, and I believe that they should be
4 aware of possible complications that could possibly
5 ensue.

6 Beyond that, as I said in my deposition,
7 their responsibility is only to call in the medical
8 director to determine whether the patient has
9 deteriorated, whether the patient was not conforming
10 to their expectations.

11 And to use the words like having specialty
12 care or to give themselves the recognition that they
13 may have an ability a regular nursing home does not
14 have, would not cause me to expect them to have the
15 ability to make a arterial assessment and, again, to
16 do it accurately.

17 So consequently while I'm accepting what
18 you're saying, by the same token to expect them to
19 function at the level of a Baptist Hospital or to
20 function at the level of a vascular surgeon, I think
21 is unreasonable.

22 Q. (BY MR. PANTER) And it's interesting,
23 because if you did except accept that and if you did
24 accept the evaluations that were done at this facility
25 on Helen Shaver, you would agree that those findings

1 are inconsistent with your theory of this case? You
2 have to agree with that, and you've already agreed
3 that in deposition?

4 A. I have trouble following your logic.

5 Q. Let me try to make it more clear, then.

6 A. Please.

7 Q. The fact -- you say that she, Helen, had
8 progressive ischemic insult resulting from the
9 orthopedic injury, and it was progressive and
10 continuing?

11 A. Right.

12 Q. Throughout the time when somebody ultimately
13 decided -- Dr. Eaton ultimately decided to amputate
14 her left leg?

15 A. Correct.

16 Q. That's your theory. But if someone found
17 good ischemic blood flow, let's just say that they did
18 something, anything, and they said good ischemic blood
19 flow, that would be inconsistent with your theory of a
20 progressive ischemic problem.

21 Is that a true statement?

22 A. No, it's not, not even close. Good ischemic
23 blood flow, what does that mean?

24 Q. Lack of ischemia and good blood flow and
25 good perfusion. Let me rephrase it. You want to hold

1 me on that, that's fine.

2 If someone in the record said this lady has
3 good blood flow, no ischemia, that would be
4 inconsistent with your theory of the case?

5 A. Had good blood flow with no ischemia, can we
6 just stop at that concept.

7 Perfusion of the leg is variable, and it can
8 be determined in levels. You could have a four plus
9 pulse, two plus pulse, one plus pulse, and the fact
10 that you have diminished circulation does not mean
11 that the leg is going to die, and it dies in stages.

12 And I believe that is what happened in her
13 particular case. So I'm not really sure what you're
14 getting at, and how you want me to answer, because
15 what happened to Mrs. Shaver was the fact that she had
16 a partial occlusion of the artery. There was reduced
17 blood flow to the leg.

18 And I'm not exactly sure what you mean, why
19 their expertise or lack of expertise would have made a
20 difference in the outcome.

21 Q. Let's do this, Doctor. I would like to ask
22 you about the vascular surgery consult that came by
23 and saw Ms. Shaver and what their orders were. And if
24 you want, I can -- this is the first one. Okay.

25 I'm going to ask you to look at your

1 progress notes. And like when I took your deposition,
2 look at whatever records you want. You have them with
3 you today, just like you did in deposition; correct?

4 A. I do, but you're going to look at
5 Dr. Eaton's op note?

6 Q. No. We're going to look at the vascular
7 surgical consult that was 4/23, 1:09 p.m., just that
8 one, 1:09 p.m., just after she got to the facility.
9 I'm getting there. We did put it on the screen to
10 help you find it.

11 THE ARBITRATOR: It's up on the screen.
12 This for the admission of 4/5?

13 MR. PANTER: No.

14 THE ARBITRATOR: 4/23.

15 MR. PANTER: 4/23, sir.

16 THE WITNESS: All right. What are we
17 looking at?

18 THE ARBITRATOR: 4/23.

19 MR. PANTER: Or you can look up on the
20 board.

21 THE WITNESS: We'll start with the board.
22 It will be faster, 4/20/11?

23 Q. (BY MR. PANTER) 4/23. This is just minutes
24 after she got there, the next day. Let's talk about
25 these first.

1 Your testimony I heard you -- if I heard you
2 right when you were answering Mr. Martin's questions,
3 you said she had a nonsalvageable limb when she left
4 Baptist Hospital?

5 A. That's correct.

6 Q. Now, I want you to look at the vascular
7 consult.

8 Do you have any reason to disrespect the
9 vascular consult at Baptist Hospital or disregard
10 them, sir?

11 A. Which one?

12 Q. Any of them.

13 A. Probably not, probably a level of expertise
14 between Dr. Eaton, who is the vascular surgeon at the
15 University of Miami and this PA who did the consult.
16 But anyway, this looks like a progress note.

17 Q. Did you know that there were five different
18 vascular surgeons in a group that was attending to
19 Helen at various times at Baptist Hospital?

20 A. I didn't recognize -- I know Dr. Eaton. I
21 know his group, but I didn't see the different names.

22 Q. Let's look at this one vascular consult.
23 And what does it say about the patient's left foot?
24 Can you read that, or I'll help you.

25 A. Gangrenous?

1 Q. Not where it's highlighted.

2 THE ARBITRATOR: It starts "Patient has."

3 THE WITNESS: "Patient has nails left foot."

4 Q. (BY MR. PANTER) Let's talk about this. You
5 can't read that.

6 A. Pulse ---

7 Q. Hold on. I want to take one step at a time,
8 if I could. I don't mean to interrupt you.

9 How about patient has viable left foot?

10 A. Okay. That's true.

11 Q. Now, you were just reading nails. You are
12 having a problem reading the record. When you were
13 reviewing, did you have a problem reading the records?
14 They are difficult to read.

15 A. Well, some of them that are technical are
16 much easier to read, and some of the writing was also
17 better, too.

18 Q. The writing part, it's all the chart, it's
19 all important, isn't it, Doctor, all of it?

20 A. All of it is important?

21 Q. Yes, sir.

22 A. No, obviously it's not all important. Some
23 things have a greater magnitude and some have less
24 magnitude.

25 Q. Do you think that a comment with respect to

1 whether her foot is salvageable or not, it says it's
2 viable, is relevant to the issue of a foot being
3 salvageable or not?

4 A. At that particular moment in his particular
5 opinion, it might have been salvageable, but it
6 certainly does not be able to predispose future
7 viability.

8 Q. Just so were are clear, you're a case
9 reviewer in this case. This is a doctor who's
10 attending to the patient at the hospital. Kind of
11 draw this picture for Mr. Kuvin, who knows this well,
12 but this doctor who wrote this note is sitting or
13 standing at Helen's bedside; correct?

14 A. Yes.

15 Q. He's evaluating her, he's touching her
16 probably; correct?

17 A. Correct.

18 Q. He's there. He can check everything. You
19 are looking at the records, and this is inconsistent
20 with your opinion, because you said it's
21 nonsalvageable, and this doctor, when she comes to
22 Baptist, leaving St. Anne's after ten days of neglect,
23 admitted to by your lawyers, says she has a viable
24 left foot?

25 MR. MARTIN: Objection. Argumentive.

1 Compound.

2 THE WITNESS: Can I answer?

3 THE ARBITRATOR: Do you want to?

4 THE WITNESS: Yes.

5 THE ARBITRATOR: Okay. Overruled.

6 THE WITNESS: The reality is, though, that
7 we die in stages. You saw the progression, the
8 necrosis of the left leg even after the debridement.

9 And while the foot may have been viable at
10 that point, and I think that everyone thought that
11 they were viable -- that it was viable at that point,
12 the events had been set in motion that was going to
13 cause say her to lose her leg.

14 And I would like to see the rest of that
15 note, because you've only got the one portion of it.

16 Q. (BY MR. PANTER) I'll bring you both pages.

17 A. It is the next page. I'm not even sure it's
18 a doctor.

19 Q. Oh, yes, it is. Here, sir, here you go.

20 THE ARBITRATOR: Somebody signed it.

21 MR. PANTER: Here's two pages.

22 Q. (BY MR. PANTER) So this doctor, this note,
23 this vascular surgical note doesn't say
24 nonsalvageable, does it?

25 A. No, it doesn't.

1 Q. And do you think if a doctor examining a
2 patient thought it was nonsalvageable, that they would
3 write that in the chart?

4 A. They probably didn't know, nor would I have
5 known at that particular point, that the leg was
6 nonsalvageable but by virtue you have to look back and
7 kind of see where everything was going.

8 Q. So these ---

9 A. I will give them back. I need the exercise.

10 Q. That's good. Thank you so much, Dr. P.

11 A. Sure.

12 Q. Bottom line, with respect to this note, your
13 opinion is different than this vascular surgeon who
14 was attending to her the day after she left
15 St. Anne's?

16 A. Correct. Well, at that particular moment,
17 that was his opinion.

18 Q. Right. And if we go to page 2, what was the
19 plan? I have it highlighted. There's two parts to
20 the plan, one and two.

21 A. Arterial study and then decubitus -- who
22 knows -- both legs.

23 Q. How about ---

24 A. Precautions, heel protectors. And whose
25 signature is that? Were you able to identify that?

1 Q. Do you know Dr. Tsoukas, one of the partners
2 of Dr. Eaton?

3 A. No. Dr. Tsoukas is a partner of Dr. Eaton?

4 Q. Was, yes, sir. Do you know who Dr. Tsoukas
5 is? T-S-O-U-K-A-S.

6 A. Oh, yes.

7 Q. So ---

8 A. Is he a vascular surgeon or heart surgeon?

9 Q. He's part of Vascular -- Baptist Vascular
10 Surgical Institute.

11 A. I know Dr. Eaton very well. I used to be
12 president of the Florida Vascular Society. He never
13 attended our meetings. So I'm not sure he's actually
14 a vascular surgeon.

15 MR. MULLEN: Just answer the questions
16 they're asking.

17 Q. (BY MR. PANTER) Now, this doctor thought
18 decubitus precautions were important; correct?

19 A. Correct.

20 Q. And decubitus meaning preventing pressure
21 ulcers?

22 A. Correct.

23 Q. Okay. What is the purpose of decubitus
24 precautions for both legs, heel protectors and air
25 mattress?

1 A. To prevent decubitus ulcers.

2 Q. You had asked before about the doctors at
3 Miami Vascular Specialists. I have here Caldman,
4 Noel, Rua, Tsoukas, Eaton.

5 Does that help refresh your memory?

6 A. Yes. I know them all very well, except for
7 Dr. Tsoukas.

8 Q. All right. Thank you.

9 A. T-S?

10 Q. T-S, yes.

11 Would you agree with the fact that that
12 vascular surgeon, Dr. Tsoukas, believed that the
13 measures to prevent the pressure ulcers of the left
14 lower extremity or all her extremities for that matter
15 would be a benefit to Helen?

16 A. Yes.

17 Q. Was there any indication in that note which
18 were put down -- that's okay -- any indication in that
19 note that they were recommending an amputation at that
20 time?

21 A. At that time, no.

22 Q. Now, on April 23rd, what were the results of
23 the testing done in a peripheral vascular lab?

24 Do you know that, sir?

25 A. I believe she had an ABI of .41.

1 Q. Did that same result also reflect no
2 significant arterial occlusive disease?

3 A. I don't know what it referred. I can tell
4 what it means, though.

5 Q. Let's talk about the ABI. We know that that
6 stands for arterial brachial index; correct?

7 A. Correct.

8 Q. We don't have to repeat what that test is
9 because we had Dr. Salinger to explain it to all of us
10 in this room, but you also know that her ABI after the
11 revascularization surgery went from .41 to .99, which
12 is normal?

13 A. Correct.

14 Q. Okay. That is a good thing?

15 A. It's a good thing to a degree.

16 Q. Okay. Well, a lot of what we were talking
17 about is to degrees, because .41 doesn't reflect
18 complete occlusive disease, it's moderate; correct?

19 A. .41 in a diabetic is considered to be very
20 serious.

21 And as I have already pointed out in my
22 testimony, the fact that she had diminished perfusion
23 of the anterior compartment and she also had
24 diminished perfusion of the area around the upper
25 calves cause a disruption of the collateral blood

1 supply.

2 Q. Let me ask you this, Doctor, on the vascular
3 consultation note, which we'll put up on the screen
4 for you -- I think we can -- page 746 to 748, dictated
5 by Dr. Chan, a PA, and later signed by Dr. Tsoukas, do
6 you know what the reason was for that consultation?

7 A. No.

8 Q. How about if I tell you that it was for
9 decubitus ulcer in left lower extremity?

10 A. Okay.

11 Q. Would you agree with that?

12 A. I believe, yes.

13 Q. All right.

14 A. I believe that's what he wrote.

15 Q. And do you know what his plan was at that
16 time?

17 A. Revascularize the leg.

18 Q. What about also a neuro consult? Do you
19 remember that?

20 A. No.

21 Q. If I tell that you it was, it was part of
22 the plan to do the neuro consult, would you agree with
23 that? Do you want me to show you the record?

24 A. I believe you.

25 Q. And were they also recommending once again

1 decubitus precautions?

2 A. Yes.

3 Q. That's important -- it was important for
4 this patient at Baptist Hospital, it was very attuned
5 to that necessity for her medical care; correct?

6 A. Part of it. Part of the medical care,
7 certainly not the most important.

8 Q. Does that note from Dr. Chan that we just
9 discussed indicate there was a risk of limb threat
10 anywhere in that note that was signed by Dr. Tsoukas?

11 A. Patient currently with risk of limb threat
12 and motor and sensory function loss to the left foot.

13 Q. And would you agree that risk of limb threat
14 is not the same thing as writing nonsalvageable, no
15 hope?

16 A. At that moment I believe that they believed
17 that they did have a hope of salvaging the leg.

18 Q. Apparently ---

19 A. At that time I think that they believed that
20 they did have hope for being able to salvage the leg.

21 Q. And that, once again, is another vascular
22 surgeon and his PA with an opinion that's inconsistent
23 with yours, it says nonsalvageable from the day she
24 left Baptist the first time?

25 A. And my opinion is based upon the review of

1 all the records and has the benefit of hindsight.

2 Q. Okay. Were you aware of the notes and the
3 consultations that talked about -- and it's on page
4 761. It's another consultation by the Baptist
5 Cardiovascular Institute doctors that talked about the
6 loss of motor -- it's on page 761, David. It talks
7 about the loss of motor and sensory, that they are out
8 of proportion to the fact that the PT pulse existed.

9 Were you aware of that and it might be a
10 nerve injury?

11 A. No. It would not surprise me.

12 Q. And they were talking -- and it's on the
13 bottom right, they talk about nerve injury. About
14 three lines above 761, where it says nerve injury.

15 A. Yes, sir.

16 Q. You kind of testified that Helen did indeed
17 have a nerve injury, you believe that probably was one
18 of the problems?

19 A. That's correct.

20 Q. Because when Mr. Mullen was asking you
21 questions, you said ischemic and nerve. It's kind of
22 hard to differentiate between it because she never had
23 a complete occlusive ischemic disease?

24 A. That's correct.

25 Q. And nerve injuries can be treated

1 differently than occlusive ischemic diseases; correct?

2 A. Nerve injuries are very difficult to treat.

3 Q. For example, foot drop is a nerve injury?

4 A. It is.

5 Q. And people get on with foot drop. They
6 wear, there are different braces. I have pictures of
7 them, I can show you. But I know that you know
8 about -- you must have patients with foot drop, and
9 some of them are worse than others.

10 It's another one of those degree things;
11 correct?

12 A. Correct.

13 Q. And some people can get a kind of a \$199,
14 maybe a \$49 foot drop splint and go on their merry way
15 and have a reasonable quality of life and ambulate
16 reasonably well?

17 A. They can. The difficulty is that she had an
18 insensate foot as well.

19 THE ARBITRATOR: A what?

20 THE WITNESS: Insensate, without feeling.
21 And that usually predisposes to ulcerations and
22 ultimate loss of the extremity.

23 Q. (BY MR. PANTER) Okay. And she had a lot of
24 comorbidities, you went through all those; correct?

25 A. Correct.

1 Q. And when she came to St. Anne's, they knew
2 about those comorbidities; correct?

3 A. I believe they did.

4 Q. And they should have; right?

5 A. We have looked at the record together and,
6 yes, we did see that those comorbidities were clearly
7 listed.

8 Q. And when you are at a facility -- do you
9 know what a care plan is?

10 MR. MARTIN: Objection. He's not giving
11 nursing testimony.

12 THE ARBITRATOR: Yes. I think you're going
13 beyond ---

14 MR. PANTER: I was going to go to causation.
15 That's what he's here about.

16 Q. (BY MR. PANTER) Does a facility have to
17 attend to various comorbidities?

18 MR. MARTIN: Same objection.

19 THE WITNESS: Yes.

20 THE ARBITRATOR: That's a good question.

21 Q. (BY MR. PANTER) Do you know the mechanism
22 in which they do that at a nursing home?

23 A. No, I wouldn't, the mechanism of how they do
24 it in a nursing home, I don't have any knowledge of
25 that.

1 Q. It has to be a document somewhere, would you
2 agree? And that's why I was asking about a care plan.

3 THE ARBITRATOR: But he said he doesn't
4 know, so let's not get into that.

5 Q. (BY MR. PANTER) The information must be
6 communicated to the care provider in some manor. You
7 would agree with that?

8 A. Yes.

9 Q. If they are not given the information, then
10 they can't address those comorbidities?

11 MR. MULLEN: Objection. Scope.

12 THE WITNESS: It's not -- the director is
13 not given the information, then they can't address the
14 comorbidity, is that your question?

15 Q. (BY MR. PANTER) Yes. If the care providers
16 aren't provided with the information, for instance,
17 related to comorbidities ---

18 A. Care provider being the doctor?

19 Q. It could be a nurse. It could be a doctor.

20 In this facility ---

21 A. The subject of your sentence is who's the
22 care provider, CNA or what?

23 Q. Let's talk about an RN.

24 A. An RN.

25 Q. If an RN is not given any information about

1 the patient, then it's going to be difficult for her
2 to assess, treat and pay attention and be aware of any
3 problems with respect to the comorbidities?

4 MR. MARTIN: Objection. Scope.

5 THE ARBITRATOR: I agree. Sustained.

6 Q. (BY MR. PANTER) Okay. Let's then move on
7 to another issue, Doctor.

8 What surgical intervention was planned for
9 the evening of April 23rd?

10 A. April 23rd, that was Dr. Eaton's procedure?

11 THE ARBITRATOR: Yes.

12 Q. (BY MR. PANTER) Do you know? Let me catch
13 up here. Vascular ---

14 A. Vascular consult, left leg ischemia at the
15 time of fracture.

16 Q. Let me bring it over to you.

17 A. What activity was planned?

18 Q. What surgical intervention was planned? How
19 about this, rather than bring it over to, you how
20 about if I tell it was revascularization of the left
21 leg by Dr. Eaton.

22 A. Well, that was his consult. Is that your
23 question?

24 Q. No.

25 THE ARBITRATOR: His plan, what was he going

1 to do.

2 Q. (BY MR. PANTER) Let me show it to you. So
3 I was asking what ---

4 A. This is the preoperative anesthesia note, I
5 believe.

6 Q. Yes. What were they planning on doing?
7 That's all I'm asking.

8 I have it highlighted for you.

9 A. Revascularization of the left leg.

10 Q. Okay. That's my question.

11 THE ARBITRATOR: Your answer seemed ---

12 THE WITNESS: That was what was written, not
13 by Dr. Eaton, but apparently by the person who
14 evaluated Mrs. Shaver prior to the operation.

15 Q. (BY MR. PANTER) Okay. Does the medical
16 record indicate any discussion of amputation at this
17 time?

18 A. No.

19 Q. Okay. And do you know if this procedure was
20 completed, the one I just showed you, which they were
21 planning a revascularization of the left leg?

22 A. Yes. Four days later it was completed.

23 Q. Not on that day; right?

24 A. That's correct.

25 Q. And do you know why it was canceled?

1 A. I believe because she had a high INR.

2 Q. And do you know now she came to have a high
3 INR, which is PT/INR?

4 A. Yes, because she was given Coumadin at
5 St. Anne's.

6 Q. So do you know how much Coumadin she was
7 given at St. Anne's?

8 A. I don't remember the dose.

9 Q. Do you know if she was overdosed with
10 Coumadin?

11 A. Remember that it was, I think, 5.7 INR at
12 that time.

13 Q. Let me review it with you to help refresh
14 your recollection.

15 She had an order by Dr. Diaz at the facility
16 that says don't call me -- or don't call me if the INR
17 is between 2 and 3; correct?

18 A. Correct.

19 Q. And the INR on that -- that was a
20 therapeutic range, she was getting two milligrams a
21 day; correct?

22 A. Correct.

23 Q. It's an important anticoagulation drug for
24 her comorbidities that she had, it was reasonable to
25 have that drug; correct?

1 A. Correct.

2 Q. And it was also reasonable and important for
3 it to be monitored appropriately by the St. Anne's
4 facility; is that correct?

5 MR. MARTIN: Objection. Scope.

6 THE WITNESS: That's correct.

7 Q. (BY MR. PANTER) And back on this question,
8 so, Doctor, when her PT/INR was taken on 4/18, do you
9 remember what it was at the facility?

10 A. No, I do not.

11 Q. How about if I refresh your recollection? I
12 do. It was 3.7.

13 A. Okay.

14 Q. How about the next day at that time, did
15 they call Dr. Diaz?

16 A. I don't know whether they did or not.

17 Q. Assuming that they didn't, you can read the
18 depos, didn't you see the record where it said they
19 called him on 4/21? Do you remember that page of the
20 record?

21 A. No, I don't.

22 MR. MARTIN: Objection. Scope. This goes
23 to nursing care.

24 This has nothing to do with what the
25 ultimate INR was. It's also argumentative.

1 THE ARBITRATOR: I think it goes to
2 causation.

3 MR. PANTER: Absolutely.

4 Q. (BY MR. PANTER) Now, I'm going to ---

5 MR. MULLEN: Your expert already testified
6 it does not.

7 THE ARBITRATOR: Let me hear it.

8 Q. (BY MR. PANTER) I'll help refresh your
9 recollection. They've made the call on 4/21. What
10 happened the day before that on 4/20? They gave her
11 another dose of Coumadin, didn't they?

12 A. They may have. I accept your word that they
13 did, but I don't think you'd be asking the question if
14 they did not.

15 Q. And on 4/21, they gave her another dose of
16 Coumadin before they called the doctor?

17 A. They did.

18 Q. And now after giving her two extra doses of
19 Coumadin, both of those were breaching the standard of
20 care; correct?

21 MR. MARTIN: Objection to scope.

22 Q. (BY MR. PANTER) Both of those were breaches
23 of standard of care; right?

24 THE ARBITRATOR: That objection I'll take.
25 Sustained.

1 Q. (BY MR. PANTER) You know, your lawyer has
2 already admitted that this facility was negligent for
3 this -- what we're talking about these facts right
4 here, don't you?

5 MR. MARTIN: Objection.

6 Q. (BY MR. PANTER) Now you know that because I
7 told you?

8 A. Yes.

9 Q. They didn't tell you, I told you; correct?

10 MR. MARTIN: Objection. Argumentative.

11 THE ARBITRATOR: Again, argumentative and
12 repetitive.

13 Q. (BY MR. PANTER) Okay. But In any event,
14 after she got two extra doses of Coumadin, in
15 violation of the doctor's order, what was her INR?

16 A. 5.7.

17 Q. And that's why they had to delay doing a
18 revascularization surgery; is that correct?

19 A. That is not correct.

20 Q. You told me before that they didn't do the
21 vascular surgery because the PT/INR was too high, 5.7.
22 That's what you said to this arbitrator; isn't that
23 true?

24 A. May I clarify that?

25 THE ARBITRATOR: The answer is "yes" or

1 "no."

2 THE WITNESS: The answer is yes to your
3 question.

4 THE ARBITRATOR: Then you can clarify it if
5 you choose to.

6 THE WITNESS: There are ways to reverse
7 Coumadin.

8 Q. (BY MR. PANTER) Okay.

9 A. If they wished to have done so.

10 Q. And in any event, they waited until it was a
11 therapeutic range, and they did the surgery on what
12 date?

13 A. The 27th.

14 Q. Okay. And you told me in deposition that
15 delay is not a good thing generally; correct?

16 A. That is correct.

17 Q. And delay with a revascularization surgery
18 with an ischemic problem is also not a good thing?

19 A. That's also correct.

20 Q. And for Helen Shaver, delay in getting
21 revascularization surgery is not a good thing?

22 A. Not a good thing, but it would not have
23 altered the outcome.

24 Q. It didn't help her, it didn't improve her
25 chances of a better outcome waiting longer, did it?

1 A. It did not improve her chances of a better
2 outcome.

3 Q. Now, I want you to see the vascular surgical
4 note on 4/23. It's on our page 1351. And this a
5 vascular surgical consult by Dr. Eaton.

6 Do you recognize it as such?

7 THE ARBITRATOR: What's the Bate number?

8 MR. PANTER: 1351. I'll bring it over to
9 him.

10 THE ARBITRATOR: There it is.

11 Q. (BY MR. PANTER) I want you to please
12 highlight the part where it says neuro findings ---

13 THE ARBITRATOR: Matt, do you have our Bate
14 numbers?

15 MR. MARTIN: No. They're not Bate stamped.

16 THE WITNESS: That's better. Thank you.

17 Q. (BY MR. PANTER) Now, you claim that she had
18 a continuing ischemic problem, that's part of your
19 theory of this case; correct?

20 A. That's correct.

21 Q. And you respect Dr. Eaton, you told me that
22 already?

23 A. I do.

24 Q. Would you read out loud the last three lines
25 before the angiogram. We're trying to highlight it,

1 but it's just too high. It's a paragraph where it
2 starts neuro findings.

3 I would like to you read that out loud,
4 i.e., the next paragraph.

5 A. Foot is paralyzed.

6 Q. It starts off with neuro findings.

7 A. Between the two of them, they're
8 technologically deficient. I can read it.

9 Q. Go ahead and read that, sir.

10 A. "Neuro findings date to surgery and are
11 likely direct injury" -- "direct nerve injury and not
12 ischemia."

13 Q. Once again, Dr. Eaton, the respected
14 vascular surgeon, believed at this time that the
15 problems that he was noting were not ischemic?

16 A. It doesn't mean that she did not have
17 ischemia. It just meant that she has nerve damage
18 secondary to direct nerve injury.

19 I'm not sure how the two concepts are
20 mutually exclusive.

21 I would like to go back to the top line. If
22 patient wants to wait for angiogram, in view of what
23 we were talking about a few minutes earlier about
24 delaying the surgery.

25 THE ARBITRATOR: Let him ask the question.

1 MR. PANTER: Okay.

2 MR. MULLEN: Did he want to expand his
3 answer?

4 THE ARBITRATOR: No. There's no pending
5 question.

6 MR. PANTER: I'm going to cross-examine him.
7 I'm going on. He's taking a break for a second, a
8 thought process.

9 Q. (BY MR. PANTER) Is there any mention in
10 Dr. Eaton's vascular surgical note about amputation at
11 this time?

12 A. No.

13 Q. Is there any indication he recommended
14 amputation at this time?

15 A. No.

16 Q. On April 24th, I want you to look at the
17 next progress note, and tell me when you you're done.

18 THE ARBITRATOR: Before we get to that, was
19 there something you want to expand on this note of
20 April 23rd?

21 THE WITNESS: Well, Mr. Panter made mention
22 that there was a delay in performing the operation --
23 I guess the angiogram, because of her high INR. And
24 I'm looking at the top line. The patient wants to
25 wait for angiogram. I just kind of wonder what the

1 patient's contribution was to the delay.

2 THE ARBITRATOR: He can't answer that
3 question.

4 MR. PANTER: I have a question for him
5 because of his statement.

6 THE WITNESS: Okay.

7 Q. (BY MR. PANTER) What does Dr. Eaton say
8 about the angiogram on page 1351 at the bottom of the
9 note? And I will bring it to you.

10 A. I like projecting it.

11 THE ARBITRATOR: Yes. Project the bottom.

12 THE WITNESS: I can see it. Angiogram,
13 PT -- PT closer to 2, 3.

14 Q. (BY MR. PANTER) But he's waiting because
15 it's 5.7 as a result of St. Anne's overdose of
16 Coumadin. Is that a fact?

17 A. Well, he's waiting for ---

18 Q. Is that a fact? You can answer and then you
19 can explain it. Is that a fact?

20 A. Yes.

21 THE ARBITRATOR: Do you want to explain it?

22 THE WITNESS: Yes.

23 THE ARBITRATOR: Do it.

24 THE WITNESS: I don't really know if it is a
25 fact, what his thinking was at that particular point.

1 Depending upon the urgency of the ischemia, the
2 severity of the ischemia, if we needed to move along
3 we would go ahead and move along by reversing it with
4 fresh frozen plasma or vitamin K or both.

5 And I think that Dr. Eaton's thinking at
6 that particular time was that the leg was not so
7 severely ischemic that he had to address the issue
8 immediately are.

9 Q. (BY MR. PANTER) Let's look at the next day.
10 I think we're following the sequence on this issue of
11 salvageability, April 24th.

12 By the way, in preparation did you review
13 these vascular surgical handwritten notes? Did you
14 take the time to go through them all?

15 A. Yes, I did.

16 Q. Let's talk about it. Let's look at the note
17 of April 24th, 2010, and let's talk about Dr. Eaton's
18 evaluation at that time where it says left foot.

19 And I would ask David to highlight it. It's
20 about -- do you see where it is -- yes, that is it.

21 April 24th. And how does he describe
22 Helen's left foot at that time?

23 A. Remains viable. I don't think that's
24 Dr. Eaton's note, whoever wrote that note.

25 Q. It's Tsoukas.

1 A. Warm temperature and capillary refill.

2 Q. You said it wasn't Eaton, but that's
3 actually more interesting, because now we have Eaton.
4 We've got Tsoukas. You've got multiple vascular
5 surgeons, skilled, by your own admission, who are all
6 saying we've got a viable foot here and saying it's
7 not ischemic and things that are quite different than
8 your progressive, ischemic, nonsalvageable theory.

9 Agree?

10 A. No, I really don't, because I think that
11 you're looking at the end result, and I'm saying we're
12 probably walking down the road toward the end result.
13 At that particular time, there was viability of the
14 foot.

15 And I think this rather brief note also
16 ignores the fact that she had a dying anterior
17 compartment and also had dead muscle in the posterior
18 calf.

19 Q. Well, these doctors were looking at her.
20 They knew what the problems were in her anterior calf.
21 And by the way, when she left the facility, while you
22 were at the anterior calf, she had to come out, they
23 had to take the staples out. It seems she got to
24 Baptist because of an infection that she got at the
25 facility; correct?

1 MR. MARTIN: Objection. Argumentative.

2 Compound.

3 MR. PANTER: Well, he mentioned the anterior
4 calf. It may be compound.

5 THE ARBITRATOR: See if you can rephrase it.

6 MR. PANTER: I can.

7 THE ARBITRATOR: Make it a little less
8 argumentative.

9 Q. (BY MR. PANTER) You mentioned the anterior
10 calf.

11 A. I did. And you used ---

12 THE ARBITRATOR: Let him finish his answer.

13 Q. (BY MR. PANTER) Well, my question to you is
14 this, that she had a wound from those staples that was
15 not attended to for at least six days at this
16 facility. You know those facts, don't you?

17 A. No, I do not.

18 Q. You don't remember reading Julia's
19 deposition.

20 A. Julia's deposition?

21 Q. The nurse?

22 A. I do remember her.

23 Q. And remember there was no doctors order up
24 and until she got there at 4/13, not a single doctor's
25 order with respect to wound care until the 17th? Do

1 you remember that?

2 A. No, I do not.

3 Q. Then I guess you don't, but maybe you
4 haven't done your homework.

5 MR. MARTIN: Argumentative. Move to strike.

6 THE ARBITRATOR: Move it.

7 Q. (BY MR. PANTER) What about on the 18th,
8 they waited one more day, is that the first day they
9 provided any wound care for her anterior calf?

10 MR. MARTIN: Objection. Scope.

11 THE WITNESS: I have no idea what day it
12 was. I do remember that they were applying Bactroban
13 to it.

14 Q. (BY MR. PANTER) You think they were
15 applying Bactroban to it?

16 A. Yes. Because you made mention of that in my
17 deposition, and I corrected that, because you said
18 Bactrim, and I said Bactroban.

19 Q. And I corrected you. Wasn't that on her
20 sternum, the wound she still had from the cardiac
21 surgery? That's where the Bactroban went.

22 A. No. Actually, our discussion was about
23 whether they had ever taken the knee immobilizer off.
24 You showed me a picture where they did. And, in fact,
25 you mentioned of the fact that they were applying

1 Bactroban to it. You said Bactrim, and I said
2 Bactroban.

3 So consequently, at that particular point,
4 you had made the fact that the leg had been examined.
5 So I don't know whether I can accept what you are
6 telling me at face value.

7 Q. I want to show you the order, which is page
8 91 of our records, treatment record from the facility,
9 about Bactroban.

10 A. Okay.

11 Q. And just so the arbitrator sees the reality
12 here is where was that Bactroban, according to this
13 treatment record, applied? And I can highlight it for
14 you or I can point it out.

15 Anything about Bactroban being applied to
16 the surgical wound from her orthopedic surgery?

17 A. It says right above it, apply Santyl
18 Bactroban daily, cleanse with normal saline -- what it
19 says -- first of all, Bactroban is listed twice, apply
20 Santyl, Bactroban daily, cleanse with normal saline
21 prior to skin prep around wound, place Vaseline over
22 it.

23 Then on the next line, Santyl Bactroban
24 covered with four by fours -- four by four and fabric
25 tape daily to chest wound.

1 So I'm not too sure whether they're the same
2 order.

3 And then further on down, it says apply A
4 and D ointment and lower extremity -- to lower
5 extremity bilateral and dry heels daily.

6 Then the final line is cleanse left leg
7 surgical site with normal saline, apply four by four
8 and fabric tape, monitor SS, skin infection, bleeding
9 precautions.

10 Q. Is there anything there about Bactroban
11 being applied to the surgical wound?

12 A. Actually, Bactroban came from your comment
13 during my deposition.

14 Q. Look at the doctor's order, physician order
15 No. 2. Read that one as well.

16 MR. MARTIN: Objection. Scope. Relevance.
17 THE ARBITRATOR: Yes. I'm having a problem
18 with relevance.

19 MR. PANTER: Well, everything is relevant
20 because it goes to his credibility.

21 THE ARBITRATOR: No, not everything is
22 relevant. I'm having a problem with this area. What
23 has the chest wound got to do with anything in this
24 case?

25 MR. PANTER: Nothing. The point is that the

1 treatment that -- the only wound care she got was to
2 her chest.

3 She got no wound care to her leg for five
4 days at that facility, not ---

5 THE ARBITRATOR: I think that's already been
6 established.

7 MR. PANTER: He's not agreeing to that.

8 He's trying to argue that there's been some kind of
9 wound care that was provided to her lower leg.

10 MR. MARTIN: He's not giving nursing
11 opinions.

12 MR. PANTER: All right. Thank you.

13 Q. (BY MR. PANTER) So, Doctor, then do you
14 agree that there was no wound care to Helen's left
15 lower surgical area?

16 MR. MARTIN: Objection. Scope. Relevance.

17 THE WITNESS: I frankly don't know whether
18 that was or wasn't.

19 Q. (BY MR. PANTER) All right. Doctor, was an
20 angiogram performed when the INR reached the 2 to 3
21 range?

22 A. I thought the patient had a CT angiogram
23 performed.

24 Q. What were the results of the angiogram
25 performed a 4/26?

1 A. Left popliteal artery occlusion.

2 Q. And the occlusion we're talking about was
3 two to three centimeters; correct?

4 A. Correct.

5 Q. And what procedure did Dr. Eaton perform on
6 April 27th?

7 A. Performed an intimectomy and subsequently an
8 atherectomy.

9 Q. And would you agree that his intimal
10 artery -- his left popliteal intimal -- his left
11 artery -- his left popliteal artery intimal flap
12 removal was a successful procedure, Doctor?

13 A. Yes, it was.

14 Q. And ---

15 A. At least partially. I need to clarify.

16 Q. Well ---

17 THE ARBITRATOR: He needs to clarify. He
18 said he needs to clarify.

19 THE WITNESS: I need to clarify. At least
20 it was partially successful, yes, sir.

21 Q. (BY MR. PANTER) And he restored patency to
22 the popliteal artery; correct?

23 A. He restored patency to the popliteal artery,
24 tibial and peroneal arteries, sir.

25 THE ARBITRATOR: I'm sorry. He restored

1 what?

2 THE WITNESS: Patency to the popliteal
3 artery.

4 THE ARBITRATOR: So the blood flow was
5 increased.

6 THE WITNESS: The blood flow was increased.

7 Q. (BY MR. PANTER) And that's the reason he
8 did that surgery, correct, to increase blood flow?

9 A. The reason for the surgery was to increase
10 the blood flow to the leg. That is correct.

11 Q. And I thought I heard you earlier talk about
12 the tibial artery being completely occluded.

13 Did you say that or not?

14 A. The anterior tibial artery was diminutive,
15 and only patent, a very small vessel.

16 Q. Diminutive is different than complete
17 occlusion; correct?

18 A. That is correct.

19 Q. I thought I heard you say it was completely
20 occluded. Maybe I stand corrected.

21 But you never said earlier that it was
22 occluded, did you?

23 A. I don't recall whether I said it was
24 completely occluded, but certainly it was not serving
25 the purpose that was intended, which was to perfuse

1 the anterior compartment.

2 Q. She had no discoloration in her toes;
3 correct?

4 A. Correct.

5 Q. Now, did -- I'm sorry. Give me the doctor's
6 report that we're looking at.

7 Still looking at Dr. Eaton's operative
8 report, if you need to look at it, that's -- I have it
9 in my hand.

10 I don't want it to be at a disadvantage to
11 you. I want to make sure you have it if you need it.

12 A. It's on the screen.

13 Q. I don't know which page will be relevant to
14 you for these questions, but I have a few questions.

15 Did Dr. Eaton's operative report reflect
16 compartment syndrome anywhere in the report?

17 A. No, it did not. Well, I'm sorry. I don't
18 think it was, because they -- or needed to, because at
19 the time that he went in there, there was so much
20 necrosis of the posterior calf, that the compartment
21 syndrome had likely decompressed itself.

22 I think we need to make a distinction
23 between a compartment syndrome and dead muscle.

24 Q. Okay. And she had dead muscle, myonecrosis;
25 correct?

1 A. That's correct.

2 Q. And you agree with me that you can get death
3 of muscle from pressure, which can cause ischemia?
4 That's one way it can happen. It can happen other
5 ways as well; correct?

6 A. That's correct.

7 Q. Okay. And in Dr. Eaton's report, the same
8 report, he also reflected that Helen -- it's on the
9 second page, I'm not sure where it is, but I know that
10 the doctor knows, you and I talked about this, she had
11 a rubious foot; correct?

12 A. Correct.

13 Q. And excellent signal in the region of the
14 posterior tibial artery; correct?

15 A. Correct.

16 Q. That's a good result of that part of the
17 surgery; correct?

18 A. Well, it's -- I have to qualify that. I
19 mean, there's more to the leg than just a posterior
20 tibial artery and the area that he was perfusing, but
21 he certainly achieved the result of perfusing the
22 foot.

23 Q. Let's talk about the whole limb, then.

24 Okay. The day after surgery, what did Dr. Eaton write
25 in his postop day report No. 1, page 1375, about

1 Helen's limb? What did he write? I'm sorry. It was
2 Tsoukas.

3 A. The popliteal artery repair -- complains of
4 local pain, left lower extremity. Vital signs stable.
5 Afebrile. Left foot warm. Positive Doppler. PT,
6 PTT -- I'm sorry. Posterior tibial and posterior
7 tibial. I'm not sure what he meant by that. I don't
8 know what that other word is.

9 Anyway, going a little bit further,
10 something DC. Post wound.

11 A little bit higher than that.

12 Mild -- popliteal wound was mildly
13 erythematous or ecchymotic. It was ecchymotic.

14 Hemoglobin was 7.7. Hematocrit, 23.8, down
15 from 9.3.

16 I don't know. Status -- action plan, status
17 post -- I have no idea what that is. Well perfused
18 limb. Will order ---

19 Q. Hold on. I want to talk about the
20 well-perfused limb. That's what I was going after.

21 A. Okay.

22 Q. After the popliteal artery intimal flap was
23 removed, that limb was well-perfused. That's a good
24 thing; correct?

25 A. If that's the part he's looking at.

1 Q. He says the whole limb, he doesn't say the
2 foot, he says the whole limb, well-perfused limb.

3 What does a limb mean to you?

4 A. The limb, the way it's written over there,
5 would mean the area that goes from the left groin down
6 to the toes.

7 Q. Okay. And obviously he knows there is a
8 problem in her whole left leg. She had surgery. It's
9 the groin to her toes, and it was a good perfused
10 limb.

11 That's not nonsalvageable, is it?

12 A. At that point, they didn't think it was.

13 Q. They didn't write nonsalvageable, let's
14 amputate, let's do that, did they?

15 A. I think at that point, they thought they
16 were going to be able to save it.

17 Q. Let's go further on and look at Dr. Eaton's
18 note on May 3rd. I don't have it on the screen.

19 But do you know what Dr. Eaton said,
20 vascular surgical consult on May 3rd, about the
21 bypass?

22 A. No, sir.

23 Q. What if I told you he said bypass is patent?
24 Is that a good thing?

25 A. It wasn't bypass, but, yes.

1 Q. That's what he wrote.

2 A. I realize that.

3 Q. I mean, I didn't make that up. Do you want
4 me to show it to you?

5 A. No. I believe you.

6 Q. What does he mean by that, then?

7 A. It means that the left lower extremity is
8 well perfused.

9 Q. That's not consistent with a nonsalvageable
10 limb either, is it?

11 A. It's only looking at one part of the
12 picture.

13 Q. He's looking at the limb, the whole limb,
14 and he's certainly considering ischemia. That's
15 something as a vascular similar surgeon you would be
16 considering every time you look at a patient with any
17 kind of limb problem; right?

18 A. From the prospective of what you're saying,
19 it's true. He did a very good job reperfusing the
20 lower part of the limb.

21 Unfortunately, as we're about to see here,
22 she had difficulties more cephalad.

23 Q. How did her ABI, which we talked about
24 before, the arterial brachial index on her left lower
25 extremity on May 3rd compare to the preoperative

1 findings?

2 A. It's significantly improved.

3 Q. Let's be specific. It went from .41 to .99,
4 which is normal?

5 A. That's correct.

6 Q. Would you agree that that finding is
7 contrary to a diagnosis of ischemia?

8 A. Yes, sir.

9 Q. Another note on May 6th, if we go a little
10 further, by Dr. Chan, signed by Tsoukas, what does
11 that say about the viability of the leg? Do you
12 remember that, or should I tell you -- share the note
13 with you? It's on page 1405.

14 A. If you could project it, it will make it a
15 little bit easier.

16 Q. That's it. It is a PA at this time.

17 What does that indicate in the last line
18 about viability of Helen's leg?

19 A. Status post wound -- no. Vascular surgery
20 post operative day 9.

21 Left popliteal artery ---

22 Q. To help you, I'm just asking you to go to
23 the last line and read what he says about the
24 viability of Helen's left leg.

25 MR. MULLEN: Doctor, if you need to read the

1 whole note first before you answer any questions,
2 you're entitled to do so.

3 MR. PANTER: I'm asking him. He can do
4 whatever he wants when he's done doing what I'm
5 asking.

6 I'm asking to read the last ---

7 MR. MULLEN: Excuse me, Mr. Kuvin, I think
8 he's allowed to read ---

9 THE ARBITRATOR: He is. But I agree with
10 Brett, ask your question.

11 Q. (BY MR. PANTER) My question is, I want you
12 to look at the last line of that note, sir, and read
13 what it says out loud about the viability of Helen's
14 leg on May 6th, 2010 at 1:30 p.m.

15 A. Left leg viable.

16 Q. Now, is there anything else you want to read
17 in note? You're certainly welcome.

18 THE ARBITRATOR: That you feel is important.

19 Q. (BY MR. PANTER) Is there anything else that
20 you would ---

21 A. Wound debridement today. Back dressing in
22 place. Left foot is pink and warm.

23 Q. Pink and warm is another good finding with
24 respect to perfusion viability; is that correct?

25 A. That's correct.

1 Q. So now we're going to go May 8th, and I want
2 to ask you if you know of a vascular surgery progress
3 note written by Dr. Chan. a PA again, documented the
4 left leg status was unchanged, and there was adequate
5 perfusion.

6 I can show you where that is or I can bring
7 it to you. But the note says left leg viable, will
8 follow.

9 THE ARBITRATOR: That's May 10th.

10 Q. (BY MR. PANTER) This is May 8th, and I have
11 it highlighted in yellow for you.

12 So I would ask you to read the highlighted
13 section out loud to our arbitrator, what it says about
14 Helen's viability of her left leg on May 8th, 2010?

15 A. Left leg viable, will follow PRN.

16 Q. Okay. Is there anything there about an
17 amputation?

18 A. Not at that point.

19 Q. Anything there about her leg being
20 nonsalvageable?

21 A. No, not at that point.

22 Q. Anything there about her having a perfusion
23 problem?

24 A. No, sir.

25 Q. Anything there about an ischemic problem?

1 A. Not about ischemia. That's for wound
2 debridement.

3 Q. Okay. Thank you.

4 A. Left leg vascular status unchanged, adequate
5 perfusion.

6 Q. If you're a vascular surgeon or vascular
7 surgical PA, would you agree you're going to look at
8 Helen's leg for ischemia every time you see her?

9 A. Yes.

10 Q. Would you agree that you would reasonably
11 expect if there was ischemia noted, that it would be
12 documented in the medical chart?

13 A. Yes.

14 Q. As late as May 8th, is there any
15 documentation by any physician examining Helen's leg
16 stating it's not salvageable?

17 A. No.

18 Q. All right. I would like to transition, if
19 we could, to another issue about evolving ischemia in
20 this case, if that's okay with you, Doctor.

21 A. Yes, sir.

22 Q. All right. On page 543, and I'll -- thank
23 you. We have records here, and some of these were
24 shown by the lawyer for the facility at 11:24, 4/13,
25 just before her discharge at St. Anne's, and I would

1 like you to tell us what is documented with respect to
2 her pedal pulses?

3 Can you find them? I have it in yellow here
4 or -- yes, actually ---

5 A. Give me a second.

6 Q. I'm going to help. I forget I have this.

7 What does it say there?

8 A. Capillary refill less than three seconds,
9 radial and pedal pulses strong and palpable. Skin
10 warm to touch.

11 Q. Now, do you question, whoever the person is
12 from the cardiovascular unit who wrote this note,
13 question their accuracy?

14 A. Yes.

15 Q. Okay. And do you know who it is?

16 A. No.

17 Q. Do you know what their experience was?

18 A. No.

19 Q. Do you know if they're in a cardiac unit?

20 A. The cardiac monitor. These type of people
21 do this all the time. That was a statement, not a
22 question.

23 Q. Okay. Well, is it your testimony in front
24 of this arbitrator that you can't compare the nurses
25 to the -- at a hospital to the nurses you would expect

1 to get -- would be taking care of you at a facility
2 such as St. Anne's?

3 MR. MARTIN: Objection. Scope.

4 THE ARBITRATOR: I think the ---

5 THE WITNESS: Brett ---

6 Q. (BY MR. PANTER) Do you remember your
7 earlier testimony saying that you would not expect the
8 same type of skill set of people to be at a nursing
9 home that would be at Baptist Hospital? Do you
10 remember testifying to that?

11 A. Yes, sir.

12 Q. Now, not only are you critical of the
13 accuracy or the ability of people at St. Anne's to
14 discern pedal pulses, but now you're also critical of
15 the nurses at Baptist Hospital and saying that they
16 are wrong when they write pulses strong and palpable;
17 correct?

18 MR. MARTIN: Objection. Argumentative.
19 Scope.

20 MR. PANTER: It's not argumentative.

21 THE WITNESS: Well, what you're saying is
22 true.

23 Q. (BY MR. PANTER) Is it true?

24 A. I don't know how to approach the question,
25 quite honestly, because we know she's got a blocked

1 popliteal artery. How could she possibly have 3 plus
2 pedal pulses? Obviously, it's wrong.

3 Q. Well, you say that there was a blocked
4 popliteal artery, because there was an intimal flap
5 surgery that was done on April 28th, but we -- there
6 could be a reasonable argument that the injury took
7 place and she didn't become symptomatic until days
8 afterwards.

9 Would you agree that that can happen
10 medically, you can have an injury and not be
11 symptomatic for some time afterwards?

12 A. Yes, sir.

13 Q. And there can be in medicine, injuries that
14 don't become acute for some time after the actual
15 event?

16 MR. MARTIN: Objection. Overbroad.

17 THE WITNESS: Yes, I do.

18 THE ARBITRATOR: Can I interrupt this for a
19 moment? Because I want to zero in on something, Brett
20 and Matt, because I'm the one that's going to have to
21 make a ruling in this case.

22 Doctor, let me ask you this question,
23 because it's troubling me. It's your opinion, within
24 reasonable medical probability, that the leg was not
25 salvageable, period?

1 THE WITNESS: Yes, sir.

2 THE ARBITRATOR: From the get-go.

3 THE WITNESS: But we didn't know that.

4 THE ARBITRATOR: But in hindsight?

5 THE WITNESS: Yes, sir.

6 THE ARBITRATOR: Okay. Then how do you
7 equate that with the leg being viable with all good
8 things happening later?

9 THE WITNESS: The lower part of the leg was
10 well perfused, and Dr. Eaton did a good job, but the
11 problem is it was rotting up top and rotting in the
12 anterior compartment and caused everything else to
13 start to die.

14 THE ARBITRATOR: Why wouldn't that have
15 shown up in these records somewhere?

16 THE WITNESS: It became more aware of it --
17 the pictures that Matt showed early on kind of
18 reflected the deteriorating process. The leg was just
19 kind of dying because of the progressive necrosis.

20 And while he had done a very good job in
21 perfusing the foot, the rest on the leg was dying up
22 top.

23 THE ARBITRATOR: Third question, what we
24 have been going through is all this stuff that
25 occurred in May of '10. Okay.

1 She then goes to Kindred, and then she goes
2 back to Baptist in July. And that's, what, two months
3 later, something had -- did something happen during
4 that two months?

5 THE WITNESS: No. It's a progressive
6 process.

7 The whole thing was set in motion by virtue
8 of the fact that she had the fracture. She had the
9 diabetes. She had the hypertension. She had the
10 obesity and everything else.

11 It was a progressive process. It was dying
12 piece by piece. Every time they went back to it to
13 take else off, something else died. They did
14 everything they possibly could.

15 And if I were Dr. Eaton or if I was Tsoukas,
16 or I was the PA, I would have been writing the same
17 notes, because it looked like we were going to be able
18 to save the leg.

19 The problem was that the dye had already
20 been cast, and it wasn't coming back.

21 THE ARBITRATOR: So bottom line, you're
22 saying that these doctors were looking at this thing,
23 and you, in hindsight, rather than foresight?

24 THE WITNESS: You hope you're able to turn a
25 process around, and they weren't able to do that, nor

1 would I have done it.

2 Eaton is a good guy. He did everything he
3 possibly could, but the fact is that the problem that
4 she was having in her leg was in the upper calf and
5 the anterior compartment, that was dying,
6 progressively.

7 THE ARBITRATOR: Okay. I'm sorry to
8 interrupt. I just -- that was banging around in my
9 head.

10 Q. (BY MR. PANTER) Let me show you the
11 operative report.

12 And are you familiar with Dr. Eaton's
13 operative report when he actually did the amputation?

14 A. I read it.

15 Q. And you know in that report itself, he said
16 she, in fact, has a palpable pulse in her foot;
17 correct?

18 A. That's correct.

19 Q. And with perfusion, you would expect to --
20 with lack of perfusion and big ischemic problems, the
21 foot would be the first part to reflect that
22 symptomatically; correct?

23 A. Usually.

24 Q. And in this case there were no problems with
25 Helen's foot?

1 A. No.

2 Q. Am I correct?

3 A. Except it was numb, but, yes.

4 Q. That's nerve related, the numbness could
5 very well be nerve related. You've already testified
6 to that; correct? That would explain that?

7 A. Yes, sir.

8 Q. Okay. Wouldn't you agree that your
9 statement would give some credence to the accuracy of
10 the nurses then or you're still taking a position that
11 the nurses are -- I don't want to say incompetent, but
12 inaccurate in their abilities?

13 MR. MARTIN: Scope.

14 THE ARBITRATOR: Yes, scope. And I sustain.

15 Q. (BY MR. PANTER) Okay. Let me go on. Do
16 the medical records anywhere from Baptist, we're
17 talking about now, April 5th to April 13th, anywhere
18 reflect absence of pulses in her left foot during that
19 admission?

20 A. First of all, I would like to go back and
21 correct what you said, because it was inaccurate.

22 The fact is that the 4/13 note that you had
23 up there was prior to the revascularization, and then
24 you were saying that the foot was warm, pink with good
25 pulse.

1 It was after revascularization, so we're not
2 comparing the different time events.

3 Q. That was before she went to the nursing
4 home, I was ---

5 A. 4/13 is when she came back to St. Anne's.

6 Q. The earlier note -- I think that note we
7 were talking about was 4/13, just before she went to
8 Baptist where they said they had good pulses.

9 A. Which obviously was incorrect.

10 Q. That's where we're at.

11 A. Now, could you rephrase the other question
12 that you want me to answer?

13 Q. I don't have a question pending.

14 THE ARBITRATOR: I don't think there's a
15 question.

16 MR. PANTER: You sustained an objection.

17 That's why I was going ---

18 THE ARBITRATOR: I sustained the objection,
19 so there's no question pending.

20 Q. (BY MR. PANTER) According to the records,
21 did Helen Shaver have pedal pulses upon admission to
22 St. Anne's?

23 A. I believe it was documented that she did in
24 one of the records.

25 Q. Not in one, in three different places -- do

1 you want me to show them all to you or will you
2 concede that to me? We go can through them all. I
3 tell you what, I will.

4 A. Please.

5 Q. Let me show you -- and I will come over
6 there. We have, for the record, page 35, which is the
7 patient's resident admission evaluation, and I have it
8 highlighted for you.

9 Does that reflect for the arbitrator all
10 pedal pulses intact?

11 A. It does.

12 Q. Now, I want to show you, which is page --
13 page 37, which is an initial progress note of 4/13,
14 7 p.m., and I have it highlighted.

15 Does that say all pulses intact and equal,
16 capillary refill?

17 A. Less than.

18 Q. Less than 3.

19 A. Correct. It does.

20 Q. And finally, page 39, this is part of the
21 skin integrity admission, and is that -- I don't have
22 it highlighted, but I will show you, pedal pulses
23 present and equal?

24 A. It does. And that was on the 13th.

25 Q. All on the 13th?

1 A. That was the day that she was returned to
2 Baptist.

3 Q. No. You have your dates wrong, sir.

4 A. I'm sorry. You're correct. I apologize.
5 You're right. You're right. You're right.

6 Q. This is the first day she was at Baptist --
7 I mean, at St. Anne's?

8 A. Correct.

9 Q. And all these different nurses were all
10 wrong with respect to her pedal pulses, that's your
11 testimony; correct?

12 A. That is my testimony.

13 Q. Now, on April 19th, in that same facility,
14 do you know what the documentation was with respect to
15 Helen's pulses?

16 A. If I'm looking at my correct notes, it says
17 abnormal pedal pulse.

18 Q. And in your deposition you agreed that that
19 documentation that we're talking about was reflective
20 of vascular compromise.

21 Do you still agree with that?

22 A. I do.

23 Q. Okay. And was there any treatment -- did
24 anyone call the doctor that you know of from
25 St. Anne's when the first documentation existed with

1 respect to abnormal peripheral pulses and vascular
2 compromise?

3 MR. MARTIN: Objection. Scope. Goes to
4 nursing care.

5 THE ARBITRATOR: Repeat that question back.

6 MR. PANTER: I asked him if anyone called
7 the doctor when they noted abnormal peripheral pulses,
8 because it goes directly to causation.

9 MR. MARTIN: It goes to nursing issues, as
10 to whether the nurses should have ---

11 THE ARBITRATOR: I have to agree with Matt.
12 I don't want to get into the nursing care with him.

13 MR. PANTER: It's related to medical care,
14 did a medical doctor -- was a medical doctor informed
15 about this?

16 THE ARBITRATOR: And the answer to that
17 let's say hypothetically, is no.

18 MR. MARTIN: Regardless, the doctor is
19 unemployed by the defendants, nor are we responsible
20 for their ---

21 THE ARBITRATOR: Yes. Where are you with
22 that?

23 MR. PANTER: They didn't call the doctor.

24 THE ARBITRATOR: Let's assume they didn't.

25 MR. PANTER: They needed to call the doctor.

1 THE ARBITRATOR: They didn't, so ---

2 MR. PANTER: Okay. I'll just move on.

3 THE ARBITRATOR: Okay.

4 Q. (BY MR. PANTER) Any let me ask you this.

5 Is there any indication in the medical record that

6 Dr. Diaz was informed of these abnormal peripheral

7 pulses?

8 MR. MARTIN: Same objection.

9 THE ARBITRATOR: Same thing.

10 Q. (BY MR. PANTER) If you were the doctor

11 attending to Helen Shaver, would you expect to be

12 called?

13 MR. MARTIN: Same objection.

14 Q. (BY MR. PANTER) (Continuing) -- of a nurse

15 noting abnormal peripheral pulses?

16 THE ARBITRATOR: Good try. Same.

17 Sustained.

18 Q. (BY MR. PANTER) All right. Does the

19 record ---

20 THE WITNESS: Do the Burgess exercise, up

21 and down on your toes ten times.

22 MR. PANTER: Do you want to take a

23 couple-minute break?

24 THE ARBITRATOR: No. Let's keep going.

25 Q. (BY MR. PANTER) Does the record include the

1 findings of daily -- including the findings of daily
2 assessment of Helen's peripheral vascular pulses at
3 St. Anne's?

4 MR. MARTIN: Objection. Scope.

5 THE WITNESS: Yes. Oh, I'm sorry.

6 THE ARBITRATOR: That's all right.

7 Q. (BY MR. PANTER) Does it, daily? Is there a
8 daily assessment of her peripheral pulses?

9 MR. MARTIN: Scope.

10 THE ARBITRATOR: It's scope.

11 Q. (BY MR. PANTER) Let me ask you this. Would
12 you agree that a systemic inspection of her skin can
13 identify impending problems early?

14 A. Yes.

15 MR. MARTIN: Objection.

16 THE ARBITRATOR: Wait a minute.

17 MR. MARTIN: Overly broad. Vague. There's
18 no time line, and to the extent he's referencing
19 St. Anne's nursing.

20 THE ARBITRATOR: Overruled.

21 Q. (BY MR. PANTER) Do you know if wound care
22 was ordered by Dr. Montane on April 9th --

23 MR. MARTIN: Asked and answered.

24 Q. (BY MR. PANTER (Continuing)) -- of her
25 anterior leg.

1 A. I never asked that question.

2 THE ARBITRATOR: Let him answer.

3 MR. MULLEN: About an hour and a half ago.

4 Q. (BY MR. PANTER) Was wound care ordered by
5 Dr. Montane?

6 A. I don't know for a fact, but I suspect it
7 was.

8 THE ARBITRATOR: Yes, we did talk. I'm
9 sorry.

10 MR. PANTER: If we did and I asked it a
11 second time, then I apologize to everyone in the room.
12 I didn't remember that.

13 Q. (BY MR. PANTER) I want to talk to you a
14 little bit, if I could, about the compartment syndrome
15 that you mentioned, if that's okay with you.

16 A. Sure.

17 Q. Is there any mention in the medical record
18 of the existence of compartment syndrome prior to the
19 surgery performed by Dr. Montane on April 8th?

20 A. No.

21 Q. Would you agree that nerve injury is a
22 possible result of a complex fracture?

23 A. Yes, sir.

24 Q. I want to ask you to look at a progress note
25 by Dr. Montane. It's page 433 from the surgery on

1 4/7. And first of all, I want to talk to you about an
2 issue.

3 Would you agree that an orthopedic surgeon
4 as well as you is generally competent to diagnose and
5 treat compartment syndrome?

6 A. Yes, sir.

7 Q. Would you agree that one of the ways to
8 diagnose compartment syndrome is by physical exam?

9 A. Well, I agree that one way to diagnose a
10 compartment syndrome is by physical exam.

11 Q. Yes.

12 A. I believe that that would not be as complete
13 an examination as a physician would have to perform to
14 make an accurate diagnosis.

15 Q. Okay. Well, I'm not -- my question was, is
16 one of the -- let me rephrase the question.

17 Is one of the components in the diagnosis of
18 compartment syndrome a physical exam?

19 A. Yes.

20 Q. And Dr. Montane had the ability to do a
21 physical exam, and you did not, obviously?

22 A. Yes.

23 Q. By the way, you never requested a physical
24 exam of Helen shaver; correct?

25 A. Meaning me?

1 Q. Yes, sir.

2 A. Ms. Shaver has never been my patient, so I
3 have never requested a physical examination of
4 Ms. Shaver.

5 Q. Do you know, if that was something you felt
6 relevant, that the rules allowed that you just ask the
7 lawyers, and she comes to your office, and you do a
8 physical exam, and you're allowed that if you want?

9 MR. MARTIN: Objection. Relevance.

10 THE ARBITRATOR: Yes. Sustained. I don't
11 understand what there would be to examine anyway.

12 Q. (BY MR. PANTER) Is skin tension one of the
13 things -- one of the parameters that doctors look at
14 with respect to diagnosing a compartment syndrome?

15 A. Yes, sir.

16 Q. I want you to look at Dr. Montane's
17 orthopedic report the day before surgery, and we'll
18 highlight what he noted with respect to skin tension.
19 I want you to read that.

20 A. Normal skin tension.

21 Q. Is that the first time you've seen that
22 particular specific note that I just had you read?

23 A. No. I read it before.

24 Q. And when you read it and you were evaluating
25 the fact that you felt she had a compartment syndrome,

1 and that Dr. Montane was late to treat it, did you
2 consider the fact that he examined her the day before
3 and wrote no skin -- normal skin tension?

4 A. He writes above that, left knee swelling
5 present that extends to midleg.

6 Q. Does he also write no skin tension?

7 A. He does write it, yes, sir.

8 Q. Does he write anything about possible
9 compartment syndrome or anything of that nature?

10 A. No, he does not.

11 Q. So would it be -- would you reasonably
12 expect that if he felt that compartment syndrome was a
13 real and present risk to Helen Shaver at that time,
14 that he would have put that in his orthopedic
15 physician progress note?

16 A. Yes, sir.

17 Q. But he didn't do that; correct?

18 A. He did not do that.

19 Q. Would you agree that, assuming the finding
20 of normal skin tension is accurate, that that's not
21 consistent with compartment syndrome?

22 A. It is not a descriptive that I would use in
23 making a diagnosis. I would talk about tension of the
24 underlying compartments.

25 And usually you feel whether it's firm or

1 rigid.

2 I would never really describe skin tension
3 as a component of compartment syndrome.

4 Q. That's the way he wrote it.

5 A. As far as it goes, I accept what he writes.
6 But, again, if I were trying to describe a person with
7 a compartment syndrome, I probably would use terms in
8 terms of the muscle tension.

9 Q. Is there anything about muscle tension being
10 a problem with this patient in his note?

11 A. No, he did not mention that word.

12 THE ARBITRATOR: Do you have any opinion as
13 to whether or not there was, in fact, a compartment
14 syndrome?

15 THE WITNESS: Absolutely, he did, because
16 when he did the operation -- as I described, when he
17 opened the fascia, the muscle just burst out. And it
18 doesn't do that. It's kind of like opening up a
19 lobster. When you open the thing up ---

20 Q. (BY MR. PANTER) So then Dr. Montane was
21 negligent, is your testimony, in not getting to this
22 compartment syndrome that you say existed on 4/7,
23 earlier then?

24 A. All I can tell you is it existed at the time
25 of his operation on the 8th, and it was probably there

1 at that point too, to some degree.

2 Q. Well, you said when I deposed you that she
3 had a compartment syndrome that wasn't operated on
4 soon enough by Dr. Montane?

5 A. Correct. I did say that.

6 Q. And now I'm looking at the orthopedic note
7 that immediately precedes that day, and by his
8 physical exam there's nothing about compartment
9 syndrome, nothing about that; correct?

10 A. That's correct.

11 Q. So you're saying that you don't agree with
12 the fact that the compartment syndrome from the
13 traumatic injury may have occurred sometime after this
14 orthopedic exam and the time he actually did the
15 surgery, you think it existed earlier?

16 A. I do think it probably existed from the time
17 of her injury.

18 Q. Okay. That's where we getting at. So your
19 opinion is inconsistent with Dr. Montane's physical
20 findings on exam? That's all I'm getting at.

21 A. Yes, sir.

22 Q. Okay. Fair enough.

23 Now, I want to also look at the medical
24 record of Dr. Montane, who performed the surgery on
25 April 8th.

1 Okay. Now, I think you and I would agree
2 that there is a mention of the existence of
3 compartment syndrome after the surgery was performed;
4 correct?

5 A. That's correct.

6 Q. And would you agree that the operative
7 record from Dr. Montane is the very first mention of
8 compartment syndrome in this record?

9 A. Yes, sir.

10 Q. And not only would you agree with that, but
11 all of the exams don't reflect anything that there was
12 compartment syndrome for this woman before the
13 surgery. There's nothing there.

14 But you have come to the opinion, and that's
15 what you're here for, that you've come to the opinion
16 that compartment syndrome was a problem from the time
17 she broke her leg; is that correct?

18 A. That is correct. And I can't really speak
19 to the totality of the records, because I don't
20 remember them all, but I can tell you that probably
21 the fact that the situation, the pathology was getting
22 worse over the period of time of April 5th and
23 April 8th, that the clinicians who were examining her
24 probably did not consider that as one of the
25 differential diagnoses.

1 Q. So they were negligent in -- because doctors
2 must consider all differentials diagnoses, especially
3 compartment syndrome is a serious thing?

4 A. It is. But what you're saying is doctors
5 must consider. That's a rather sweeping statement.

6 Q. You're saying ---

7 MR. MULLEN: Let him finish, please.

8 THE ARBITRATOR: Yes, finish.

9 THE WITNESS: It is a rather sweeping
10 statement.

11 I think it was probably fairly low down on
12 their -- maybe the second or third rung on their
13 diagnostic differential.

14 The point is that it is very difficult to
15 make that diagnosis when somebody has a fracture
16 because of the muscle trauma, because of the hematoma.

17 The leg has a tendency to swell, and it's very
18 difficult to differentiate between just the swelling
19 and the hematoma from the fracture than it is to
20 differentiate that from actual compartment syndrome.

21 THE ARBITRATOR: Let me ask a question. I'm
22 trying to get to the bottom line here. If
23 hypothetically the doctors from the get-go failed to
24 diagnose a compartment syndrome, had they done that
25 properly, assuming they did something wrong, would

1 that have in any way prevented the amputation of the
2 leg?

3 THE WITNESS: It may have.

4 THE ARBITRATOR: It may have. Okay.

5 Q. (BY MR. PANTER) But it's your theory that
6 she had this compartment syndrome at the time she
7 fractured her leg, and it's your theory that the
8 doctors, that was not in their differential diagnosis,
9 and it's your theory that they missed it, and the
10 records are to the contrary; correct? All that?

11 A. No, it's not correct.

12 Q. The records that say no muscle -- or no skin
13 tension, you had already testified to, that that's
14 to the contrary of a compartment syndrome finding?

15 A. I'm learning. The reality is when you say
16 the records, the skin tension, correct, does not
17 indicate presence of a compartment syndrome. The
18 operative record most certainly does.

19 Q. I'm going to get to the operative record
20 now. I want to ask you about the operative record,
21 and it's perfect timing.

22 The operative record, there is no dispute
23 that the muscle bulged out and she had a compartment
24 syndrome, and a fasciotomy was done to relieve that;
25 correct?

1 A. Correct.

2 Q. I think our dispute is when it became --

3 when she had a compartment syndrome, when it actually
4 occurred; correct?

5 A. Correct.

6 Q. And you would agree that you can have a
7 trauma and have a compartment syndrome sometime later,
8 it doesn't have to occur at the same exact moment that
9 you have your injury; correct?

10 A. It is -- well, the way to answer your
11 question force me to say that it does not have to
12 happen -- you ask very general questions or very
13 sweeping questions that are hypothetical, and I will
14 answer your question hypothetically, yes, that can
15 happen.

16 Q. Let me ask this. Did Dr. Montane's
17 operative report note the presence of any necrotic
18 muscle?

19 A. I believe it did.

20 Q. Let's look at the operative report. Any
21 part. He has to see the whole thing. I want to be
22 fair to the doctor.

23 THE ARBITRATOR: While he's reading,
24 gentlemen, do you have any other witnesses?

25 MR. MARTIN: No.

1 MR. PANTER: Here's my problem, only those
2 two -- off the record for a moment.

3 (Discussion held off the record.)

4 THE ARBITRATOR: Back on the record.

5 Q. (BY MR. PANTER) Have you reviewed the
6 operative report that we were talking about with Dr.
7 Montane?

8 A. Yes, I did. And there was no mention of
9 necrotic muscles.

10 Q. Okay. Now, I would also like you to look at
11 Dr. Montane's postop report on page 350, sir.

12 Have you reviewed that report?

13 A. Yes, I have.

14 Q. Do you remember it?

15 A. Do I remember it?

16 Q. Yes.

17 A. No, sir.

18 Q. You came here today. I don't know how much
19 you remember or don't remember. I'm not being
20 disrespectful at all. I just want to know if you
21 remembered it or not.

22 A. I think memorizing the entire 6,000 pages
23 is -- probably supersedes the responsibility of an
24 expert witness.

25 Q. That's why I put it up on the screen for

1 you.

2 THE ARBITRATOR: Guys, let's not -- all
3 right.

4 Q. (BY MR. PANTER) What does the doctor who
5 operated on this patient, Helen Shaver, put in his
6 postop note with respect to compartment syndrome?

7 A. No evidence of compartment syndrome. I
8 can't -- can you blow it up?

9 No evidence of compartment syndrome.

10 Q. And your testimony is you disagree with
11 that, that not only did the compartment syndrome begin
12 at the time of the fracture but it continued and
13 progressed for some period of time?

14 A. Absolutely. And this note was after the
15 revascularization.

16 Q. This is after the fasciotomy surgery where
17 he relieved the compartment syndrome and notes the day
18 after that -- not the day after, the afternoon
19 afterwards, he says no evidence of compartment
20 syndrome.

21 And you, as the witness for the defense in
22 this case, disagree with that statement and say Dr.
23 Montane's note is incorrect, that this woman, Helen
24 Shaver, had a continuing progressive compartment
25 syndrome along with other problems; correct?

1 A. Let me be as strong as possible. I disagree
2 categorically.

3 Q. That's fine. I'll move on.

4 And you would agree that Dr. Montane was the
5 one at that time who had the ability to evaluate,
6 palpate, do a physical exam of Helen Shaver; correct?

7 A. I do agree that he had all of those
8 abilities.

9 Q. And I also want you to look at the following
10 day, the orthopedic consultation on page 353.

11 Do you remember that or not?

12 A. Go ahead. I may.

13 Q. We have already established that a lack of
14 tenseness is -- how do I say it -- antithetical? Is
15 that the proper -- antithetical to compartment
16 syndrome?

17 A. Not consistent with, or inconsistent.

18 Q. And in this note, Dr. Montane, the
19 orthopedic surgeon who did the fasciotomy, who has
20 been attending to Helen every day at the hospital,
21 writes what about the tenseness of her leg?

22 A. Not tense.

23 Q. Okay. Now, let's go to the next day, if we
24 could.

25 The next day where Dr. Montane examined her

1 again, April 10, did read that note in preparation of
2 testimony, or not?

3 A. I did.

4 Q. And when you read this note, knowing that it
5 was antithetical to your opinions, you were still
6 ready to give the opinion to the arbitrator that Helen
7 had a continuing compartment syndrome; correct?

8 A. That's correct.

9 Q. And just so we're very clear, the orthopedic
10 note two days after surgery, said what about the
11 tenseness or lack thereof of Helen Shaver's left
12 extremity?

13 A. Leg swollen, not tense.

14 Q. All right. And then I would like to
15 continue, if we could, the next note that we could
16 find was April 12th.

17 THE ARBITRATOR: I think you proved your
18 point, to me anyway.

19 MR. PANTER: Okay. Then I'll go on to
20 another issue.

21 THE ARBITRATOR: Okay.

22 Q. (BY MR. PANTER) I would like to address, if
23 I have your permission, Doctor, to address the issue
24 of myonecrosis.

25 A. Very good.

1 Q. And I would like to focus on Dr. Montane's
2 operative report of May 6th. This is when he's doing
3 the debridement at Baptist after Helen left the
4 facility, St. Anne's.

5 Are you familiar with that?

6 A. Yes, I am.

7 Q. And the preoperative diagnosis was what,
8 sir?

9 A. Decubitus ulcer.

10 Q. And I'm not sure. Do you actually agree
11 that she had a decubitus ulcer, or not? I wasn't sure
12 of your previous testimony.

13 A. I believe that she had skin injuries that is
14 consistent with epidermal death.

15 THE ARBITRATOR: What does that mean? Is it
16 or isn't it a decubitus ulcer? That was his question.

17 Q. (BY MR. PANTER) Yes.

18 A. There's a term "decubitus," which is used by
19 the medical profession to describe skin abnormalities.
20 So insofar as that generalized term is used, I would
21 agree that it is a decubitus.

22 Q. Would you agree that the procedure is to
23 debride the skin, subcutaneous tissue and muscle on
24 her left leg?

25 A. Would I agree -- what was the question?

1 Q. That they were debriding her skin, which was
2 in the subcutaneous tissue in the muscle on her left
3 leg.

4 A. What day was that, sir?

5 Q. This is the surgical debridement that Helen
6 went through a May 6, 2010.

7 A. Okay. The question is, do I agree that they
8 did it, or what?

9 Q. That they were debriding her skin,
10 subcutaneous tissue and muscle on her left leg.

11 A. I agree that she had it done.

12 Q. Okay. Does Dr. Montane's note describe that
13 he first debrided the skin that contained necrotic
14 tissue?

15 A. Yes, sir.

16 Q. And necrotic tissue is dead tissue; correct?

17 A. Necrosis means cellular death, yes, sir.

18 Q. And you agree that there was an area of that
19 necrosis that extended all the way through the
20 subcutaneous tissue?

21 A. I do.

22 Q. And is it fair to say, according to this
23 note, the area of necrosis extended from the skin
24 through the subcutaneous tissue?

25 A. That's correct.

1 Q. And would you agree this note also notes
2 minimal muscle necrosis?

3 A. It does say that.

4 Q. And does the note indicate the muscles
5 themselves look red and there was normal bleeding?

6 A. The note says that.

7 Q. Do you agree with that finding or do you
8 disagree with that finding?

9 A. I have to accept what Dr. Montane wrote in
10 his note.

11 Q. So in this instance you accept what he wrote
12 in this note, but in the other instances you don't
13 accept what he wrote in his note?

14 A. Yes. That's exactly correct.

15 Q. Okay. Did you read Dr. -- I don't know how
16 to pronounce it, and we talked about this, neither of
17 us could pronounce it, Dr. McKenzie, who is another
18 orthopedic surgeon who did another debridement
19 surgery.

20 A. Okay.

21 Q. Vascular surgeon. I'm sorry. Thank you.
22 Plastic surgeon. Thank you.

23 Did you read that report? That was May
24 10th?

25 A. Yes, I did.

1 Q. Okay. And did Dr. McKenzie mention any
2 necrotic muscle at all?

3 A. No, he did not.

4 Q. Now, if on May 10th, during the debridement
5 procedure, the surgeon made no mention of myonecrosis,
6 is it reasonable to assume that the extensive
7 myonecrosis developed between May 10th and July 29th?

8 A. Could you repeat the question, please.

9 Q. Yes. This doctor mentioned no myonecrosis.

10 A. Right.

11 Q. So, therefore, is it reasonable to assume
12 that the myonecrosis developed between May 10th and
13 July 29th, which was the date of the amputation?

14 A. Probably it was in the course of becoming
15 increasingly necrotic, yes, sir.

16 And he also described it in his note that
17 there was minimal bleeding, I believe --

18 Q. Okay. And ---

19 A. (Continuing) -- which is consistent with my
20 theory.

21 Q. And does he also suggest the possibility
22 that the lower leg wound with the large eschar wound
23 was caused by Coumadin toxicity, because the INR was
24 quite high?

25 A. He did.

1 Q. He did that; correct?

2 A. Could you show it to me, please?

3 Q. You want me to show it to you?

4 A. Yes.

5 Q. Okay. It's on the front page about four
6 lines down from the indications.

7 MR. SAMPEDRO: I highlighted it for you,
8 Doctor. I'll blow it up.

9 THE WITNESS: Soon after she was also found
10 to be on Coumadin, toxic, and possibly lower leg wound
11 with large eschar wound was caused by Coumadin
12 toxicity, because her INR was quite high at the time,
13 also. She also developed an ecchymosis -- is that
14 right -- occlusion of her left popliteal artery.

15 Q. (BY MR. PANTER) That's what I want to ask
16 you. Now ---

17 A. I would like to explain that a little bit.

18 Q. That's fine. Keep reading if you want.

19 THE ARBITRATOR: You want to explain?

20 THE WITNESS: Yes. It's inaccurate.

21 MR. PANTER: It's what?

22 THE ARBITRATOR: He said, "It's inaccurate."

23 Q. (BY MR. PANTER) So that's inaccurate again.
24 So once again now you have Dr. Montane who is
25 inaccurate; correct?

1 A. Right.

2 Q. The nurses, who are the nurses at Baptist
3 are inaccurate; correct?

4 A. Yes, sir.

5 Q. The nurses at St. Anne's, who are
6 inaccurate; correct?

7 A. That's correct.

8 Q. A lot of multiple vascular surgical consults
9 who are inaccurate?

10 A. No, I didn't say anything about the vascular
11 surgeons.

12 Q. All right. So let's move on. Dr. McKenzie,
13 a plastic surgeon, who is inaccurate? You just said
14 that.

15 A. Well, this note is inaccurate.

16 THE ARBITRATOR: In what way?

17 THE WITNESS: Coumadin toxicity refers to
18 bleeding. She had an eschar. It was not because of
19 Coumadin toxicity.

20 No. 2, he also predicates the fact that she
21 developed an occlusion of the left popliteal artery,
22 seemingly as a result of Coumadin.

23 Coumadin kept the artery open. So that note
24 contains inaccurate information.

25 Q. Let me ask you this. Hematomas come from

1 Coumadin toxicity; right?

2 A. Yes.

3 Q. And she had a hematoma when she left
4 St. Anne's, and she arrived at the emergency room at
5 Baptist, hematoma right at the scar where the staples
6 were?

7 A. That wasn't a hematoma. That was necrosis
8 of the skin. That was not secondary to hematoma.

9 Q. She didn't have a hematoma as well?

10 A. No, sir. I didn't see one mention of
11 hematoma anywhere in the record.

12 Q. Give us a second. We'll get it.

13 A. Okay.

14 Q. I want to show the infectious disease
15 consultation when Helen arrived at Baptist Hospital.
16 Maybe this will refresh your recollection and I'm
17 going to show it to you, but it says that
18 presenting -- and I highlighted it and I will
19 highlight it so I can show it to you -- presenting
20 with left wound infection, and there was significant
21 hematoma in the area.

22 And I will show this to you and see if that
23 refreshes your recollection with respect to the
24 previous testimony you just provided.

25 I highlighted it for you. And there's the

1 front page. You can see where it came from. It's
2 infectious disease.

3 A. This is on 4/8?

4 Q. No, sir. Read the note. It's 4/23.

5 A. 4/23.

6 THE ARBITRATOR: That's when she went to
7 Baptist?

8 MR. PANTER: Yes, sir.

9 THE WITNESS: In my humble opinion, I think
10 he should have used the word "ecchymosis." It would
11 have been more accurate, because the hematoma is a
12 collection of blood underneath a covering, like
13 underneath skin or underneath fascia.

14 And there's no possible way in looking at
15 the outside of the leg could he have been able to make
16 that determination.

17 Q. (BY MR. PANTER) Okay. So this is just one
18 more doctor that's wrong? This is now the infectious
19 disease doctor at Baptist Hospital is incorrect in his
20 charting that Helen had a hematoma, that you've
21 already agreed, can come from Coumadin toxicity;
22 correct?

23 A. He doesn't say where the hematoma is. If
24 he's just looking at a leg, you can't see a hematoma
25 looking at a leg.

1 Q. It says on 4/8/2010, now presenting with
2 left wound infection, there is a significant hematoma
3 in the area.

4 So do you think that hematoma is somewhere
5 else in her body?

6 A. I have no idea. Unfortunately, he doesn't
7 really specify where it is. And I think that would
8 make a lot more sense if he used the word
9 "ecchymosis".

10 Q. Okay.

11 A. We looked at the pictures, and the pictures
12 were more consistent with ecchymotic changes than a
13 hematoma.

14 Q. Okay. Do you think that the ability of this
15 infectious disease doctor to see it live, in person,
16 puts him in a little bit of a better position than
17 you, to determine if it is a hematoma or ecchymosis?

18 A. I would say obviously being there, that
19 would be much better -- he should have much greater
20 accuracy.

21 But when you try to put the whole thing
22 together and try to interpret what he says, it kind of
23 doesn't make sense.

24 I don't think there was any indication he
25 was trying to mislead, but looking at it, to me it

1 doesn't make any sense.

2 Q. There's another doctor ---

3 THE ARBITRATOR: You've already said that.

4 MR. PANTER: I did. I apologize. I'm
5 sorry.

6 Q. (BY MR. PANTER) Okay. I have a lot of
7 questions on Coumadin toxicity, but I'm going to make
8 it narrow and ask you, there's no question in your
9 mind that Coumadin -- that Helen came to Baptist
10 Hospital with Coumadin toxicity, that is a fact;
11 correct?

12 A. We use the description of Coumadin toxicity
13 meaning having an elevated PT/INR.

14 A reality of Coumadin toxicity means that
15 you have a complication as a result of it, but -- so I
16 will except what you're saying as being Coumadin
17 toxic, because that is the vernacular that we
18 currently employ.

19 Q. Is it a potential complication of Coumadin
20 toxicity to develop hematomas?

21 A. In the general sense, that is correct.

22 Q. Okay. Do you remember if Dr. McKenzie, who
23 is the doctor that we just reviewed, the plastic
24 surgeon who did the second debridement, documented
25 possible left lower leg wound with large eschar wound

1 caused by Coumadin toxicity?

2 A. That was his opinion, yes, sir.

3 Q. Well, do you disagree with that opinion as
4 well?

5 A. Caused by Coumadin toxicity?

6 Q. Yes.

7 A. I would disagree with that.

8 Q. So another aspect of Dr. McKenzie's
9 analysis, diagnosis is incorrect according to you?

10 A. I would disagree with that. It's his
11 opinion.

12 Q. Let me ask you this. You would agree that
13 the decubitus ulcer was a contributing cause to
14 Helen's losing her -- having to have her leg
15 amputated; correct?

16 A. In a very minor way.

17 Q. Well, you testified -- let me read part of
18 your testimony, then. Give me a second, please. I'll
19 get to it.

20 A. While he's doing that, could I ask a
21 question?

22 Q. Not really, unless it's to go to the
23 bathroom.

24 A. I can wait.

25 THE ARBITRATOR: You need the men's room?

1 THE WITNESS: I will wait till after
2 4 o'clock.

3 MR. PANTER: If you have to go to the
4 restroom, I understand that, you can go anytime you
5 want.

6 THE WITNESS: I'm a big boy. Thank you.

7 MR. PANTER: I'm not. He knows ---

8 MR. MARTIN: Let's get through this.

9 Q. (BY MR. PANTER) Would you agree -- when I
10 ask you this question, would you agree that -- I'm
11 sorry. It's on page 87.

12 Would you agree that even in the case of the
13 development -- wait. Sorry. I apologize. Yes.

14 The question was this, would you agree that
15 even in this case, this case, Helen Shaver's case, the
16 development of the decubitus ulcer was a contributor,
17 was a contributor to her ultimate loss of her leg? Do
18 you remember giving the answer, "I think that was the
19 catalyst"?

20 A. Yes.

21 Q. Now, let me read on further. When we say
22 catalyst, that would be, to me, a contributor; is that
23 correct?

24 A. That is correct.

25 Q. And I looked up -- I took some time to look

1 up the word "catalyst," and I used Webster, and I want
2 to -- this is a definition I have, and I want your
3 opinion on this.

4 A catalyst ---

5 MR. MARTIN: Objection. Can't you ask him
6 what he meant instead of reading Webster's definition?

7 THE ARBITRATOR: Yes. I don't understand.

8 Q. (BY MR. PANTER) I don't have to mention it.
9 I'll ask you this question.

10 THE ARBITRATOR: I know we all know what the
11 word "catalyst" means.

12 Q. (BY MR. PANTER) Would you agree that
13 catalyst means an agent that provokes or speeds
14 significant change or action?

15 A. That's correct.

16 Q. Would you agree that the loss of her leg,
17 the deterioration of her leg was significant action?

18 MR. MARTIN: Object.

19 THE WITNESS: Would I agree that the loss of
20 leg was significant action? It doesn't make any
21 sense, does it?

22 THE ARBITRATOR: You're the witness.

23 MR. PANTER: Okay.

24 THE WITNESS: It doesn't make any sense to
25 me.

1 Q. (BY MR. PANTER) Nevertheless, you have
2 testified under oath that it was -- the decubitus
3 ulcer was a contributing cause to the loss of Helen's
4 leg and a catalyst?

5 A. I made those two -- I made those two
6 statements, yes.

7 THE ARBITRATOR: Then how does that comport
8 with your opinion that her ulcer had nothing to do
9 with the loss of the leg?

10 THE WITNESS: Yes, sir. The catalyst was
11 meant in a sense that it brought about the early
12 recognition of something that was going on with the
13 leg, and she was transferred back to Baptist Hospital
14 so, if anything, it sped up her getting to keep the
15 treatment that she needed.

16 And, No. 2, the actual, quote, unquote,
17 decubitus that she sustained played a very, very, very
18 minor role in the ultimate loss of the leg.

19 And I would like to point out again that
20 Dr. Salinger also agreed if she did not have any
21 arterial inefficiency, she would not be an amputee
22 right now.

23 Q. (BY MR. PANTER) You just told our
24 arbitrator that it sped up the process, the decubitus
25 ulcer; correct?

1 Is that ---

2 MR. MARTIN: Objection. Misstates his
3 testimony.

4 THE WITNESS: I'll say yes.

5 MR. PANTER: Okay. And I will end my
6 cross-examination at this time.

7 THE ARBITRATOR: Thank you.

8 Mr. Martin.

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25